AUTHENTICATED
U.S. GOVERNMENT
INFORMATION
GPO

EARTH'S THERMOMETERS: GLACIAL AND ICE SHEET MELT IN A CHANGING CLIMATE

HEARING

BEFORE THE

COMMITTEE ON SCIENCE, SPACE, AND TECHNOLOGY
HOUSE OF REPRESENTATIVES

ONE HUNDRED SIXTEENTH CONGRESS

FIRST SESSION

JULY 11, 2019

Serial No. 116–35

Printed for the use of the Committee on Science, Space, and Technology

Available via the World Wide Web: http://science.house.gov

U.S. GOVERNMENT PUBLISHING OFFICE
36–916PDF WASHINGTON : 2020

COMMITTEE ON SCIENCE, SPACE, AND TECHNOLOGY

HON. EDDIE BERNICE JOHNSON, Texas, *Chairwoman*

ZOE LOFGREN, California
DANIEL LIPINSKI, Illinois
SUZANNE BONAMICI, Oregon
AMI BERA, California,
Vice Chair
CONOR LAMB, Pennsylvania
LIZZIE FLETCHER, Texas
HALEY STEVENS, Michigan
KENDRA HORN, Oklahoma
MIKIE SHERRILL, New Jersey
BRAD SHERMAN, California
STEVE COHEN, Tennessee
JERRY MCNERNEY, California
ED PERLMUTTER, Colorado
PAUL TONKO, New York
BILL FOSTER, Illinois
DON BEYER, Virginia
CHARLIE CRIST, Florida
SEAN CASTEN, Illinois
KATIE HILL, California
BEN MCADAMS, Utah
JENNIFER WEXTON, Virginia

FRANK D. LUCAS, Oklahoma,
Ranking Member
MO BROOKS, Alabama
BILL POSEY, Florida
RANDY WEBER, Texas
BRIAN BABIN, Texas
ANDY BIGGS, Arizona
ROGER MARSHALL, Kansas
RALPH NORMAN, South Carolina
MICHAEL CLOUD, Texas
TROY BALDERSON, Ohio
PETE OLSON, Texas
ANTHONY GONZALEZ, Ohio
MICHAEL WALTZ, Florida
JIM BAIRD, Indiana
JAIME HERRERA BEUTLER, Washington
JENNIFFER GONZALEZ-COLÓN, Puerto Rico
VACANCY

C O N T E N T S

July 11, 2019

	Page
Hearing Charter	2

Opening Statements

Statement by Representative Eddie Bernice Johnson, Chairwoman, Committee on Science, Space, and Technology, U.S. House of Representatives	9
Written statement	10
Statement by Representative Frank Lucas, Ranking Member, Committee on Science, Space, and Technology, U.S. House of Representatives	11
Written statement	11

Witnesses:

Dr. Richard B. Alley, Evan Pugh Professor of Geosciences and Associate of the Earth and Environmental Systems Institute, Pennsylvania State University	
Oral Statement	13
Written Statement	16
Dr. Robin E. Bell, PGI Lamont Research Professor, Lamont-Doherty Earth Observatory, Columbia University	
Oral Statement	28
Written Statement	31
Dr. Twila A. Moon, Research Scientist, National Snow and Ice Data Center's Cooperative Institute for Research in Environmental Sciences	
Oral Statement	43
Written Statement	45
Dr. Gabriel J. Wolken, Research Scientist and Manager, Climate and Cryosphere Hazards Program, Division of Geological & Geophysical Surveys, Alaska Department of Natural Resources	
Oral Statement	58
Written Statement	60
Dr. W. Tad Pfeffer, Fellow, Institute of Arctic and Alpine Research, University of Colorado Boulder	
Oral Statement	67
Written Statement	69
Discussion	78

Appendix I: Answers to Post-Hearing Questions

Dr. Richard B. Alley, Evan Pugh Professor of Geosciences and Associate of the Earth and Environmental Systems Institute, Pennsylvania State University	110
Dr. Robin E. Bell, PGI Lamont Research Professor, Lamont-Doherty Earth Observatory, Columbia University	116
Dr. Twila A. Moon, Research Scientist, National Snow and Ice Data Center's Cooperative Institute for Research in Environmental Sciences	120
Dr. Gabriel J. Wolken, Research Scientist and Manager, Climate and Cryosphere Hazards Program, Division of Geological & Geophysical Surveys, Alaska Department of Natural Resources	123

Page

Dr. W. Tad Pfeffer, Fellow, Institute of Arctic and Alpine Research, University of Colorado Boulder 126

Appendix II: Additional Material for the Record

Letter submitted by Representative Suzanne Bonamici, Committee on Science, Space, and Technology, U.S. House of Representatives 130

EARTH'S THERMOMETERS: GLACIAL AND ICE SHEET MELT IN A CHANGING CLIMATE

THURSDAY, JULY 11, 2019

HOUSE OF REPRESENTATIVES,
COMMITTEE ON SCIENCE, SPACE, AND TECHNOLOGY,
Washington, D.C.

The Committee met, pursuant to notice, at 10:01 a.m., in room 2318 of the Rayburn House Office Building, Hon. Eddie Bernice Johnson [Chairwoman of the Committee] presiding.

COMMITTEE ON SCIENCE, SPACE, AND TECHNOLOGY
U.S. HOUSE OF REPRESENTATIVES

HEARING CHARTER

Earth's Thermometers: Glacial and Ice Sheet Melt in a Changing Climate

Thursday, July 11, 2019
10:00 a.m.
2318 Rayburn House Office Building

PURPOSE

The purpose of this Full Committee hearing is to discuss the current state of the science on glacier and ice sheet melt. The hearing will focus on the science to understand the physical processes and projections of mass loss of the major ice sheets in Greenland and Antarctica, as well as of mountain and other land-based glaciers. The Committee will receive expert testimony on current projections of glacier mass loss due to anthropogenic climate change, and in turn how that will affect sea level. Additionally, this hearing will provide an opportunity to discuss the major sources of uncertainty related to glacial and ice sheet melt including research gaps, risks to communities from local glacier melt, as well as global risks from ice sheet instability and sea level rise, and the need for adaptation and mitigation.

WITNESSES

- **Dr. Richard B. Alley,** Evan Pugh Professor of Geosciences and Associate of the Earth and Environmental Systems Institute, Pennsylvania State University
- **Dr. Robin E. Bell**, Lamont Research Professor, Lamont-Doherty Earth Observatory, Columbia University
- **Dr. Twila A. Moon**, Research Scientist, National Snow and Ice Data Center's (NSIDC) Cooperative Institute for Research in Environmental Sciences
- **Dr. Gabriel J. Wolkon,** Research Scientist and Manager, Climate and Cryosphere Hazards Program, Division of Geological & Geophysical Surveys, Alaska Department of Natural Resources
- **Dr. W. Tad Pfeffer,** Fellow, Institute of Arctic and Alpine Research, University of Colorado Boulder

OVERARCHING QUESTIONS

- How is anthropogenic climate change, particularly rising temperatures, affecting glaciers and ice sheets?
- How do glaciers and ice sheets act as "Earth's thermometers" and what can past climates tell us about current rates of change?
- How much do melting glaciers and ice sheets contribute to sea level rise and what are the projections for future sea level changes?
- What methods do scientists use to study glaciers and ice sheets, and what are the major challenges and sources of uncertainty in understanding glacial and ice sheet melt?
- What is our understanding of "tipping points" or "thresholds" in ice sheet and glacial melt, such as at the Western Antarctic Ice Sheet?

- Globally, how does mountain glacial melt impact human society?

Background

Glaciers are defined as persistent, land-based, dense ice formations that form when accumulation of snow exceeds its ablation (melting and other forms of loss) over many years.[1] The world's approximately 198,000 glaciers cover less than one percent of Earth's land surface,[2] yet glacial ice is the largest reservoir of freshwater on Earth[3] and is an important source of water for plants, animals, and humans where they occur in temperate regions and release meltwater in the summer. Glaciers and ice sheets play a critical role in Earth's air and water cycles, ecosystem support through providing nutrients and shelter for plants and animals, and climate system.

Glaciers that are larger than 50,000 km^2 (20,000 mi^2) are called ice sheets, or continental glaciers.[4] The Earth's only two present day ice sheets are in Antarctica and Greenland.[5] Ninety percent of the Earth's ice mass is contained in the Antarctic ice sheet, the world's largest single ice mass, covering almost 14 million km^2 (5.4 million mi^2) and containing 30 million km^3 of ice.[6] The Greenland and Antarctic ice sheets hold enough water to raise sea levels by 65 m (over 213 ft); however, complete melting of the ice sheets is not expected to happen.[7]

Ninety-nine percent of glacial ice is contained in ice sheets in the polar regions, but mountain glaciers exist on every continent except Australia.[8] In the U.S., glaciers can be found in Washington, Oregon, California, Montana, Wyoming, Colorado, and Nevada, with the majority occurring in Alaska.[9] Glaciers are sometimes called "Earth's thermometers" because they are very sensitive to, and therefore indicators of, climatic changes.[10] Glaciers are also indicators of past climates because trapped air bubbles reveal past atmospheric conditions from thousands of years ago.[11] Glaciers and ice sheets have a slow response time to global warming, and glaciers have not yet caught up to the heat additions made in the past decades. Therefore, even if global carbon emissions stopped entirely today, glaciers are locked in to a certain amount of melt. Thousands of studies conducted by researchers around the world have documented melting glaciers, diminishing snow cover, and rising sea levels.[12]

---

[1] "What is a glacier?" National Snow & Ice Data Center. https://nsidc.org/cryosphere/glaciers/questions/what.html
[2] Davies, B. "Mapping the world's glaciers." 2017. http://www.antarcticglaciers.org/glaciers-and-climate/glacier-recession/mapping-worlds-glaciers/
[3] "Ice, Snow, and Glaciers and the Water Cycle." USGS. https://www.usgs.gov/special-topic/water-science-school/science/ice-snow-and-glaciers-and-water-cycle?qt-science_center_objects=0#qt-science_center_objects
[4] "What is an ice sheet?" National Snow & Ice Data Center (NSIDC). https://nsidc.org/cryosphere/quickfacts/icesheets.html
[5] Ibid.
[6] Amos, Jonathan (2013-03-08). "BBC News - Antarctic ice volume measured". Bbc.co.uk.
[7] Shepherd, A. et al. *Science*. 338: 1183-1189 (2012).
[8] "State of the Cryosphere: Mountain Glaciers." NSIDC. https://nsidc.org/cryosphere/sotc/glacier_balance.html
[9] USGS. "Where are glaciers found in continental North America?" https://www.usgs.gov/faqs/where-are-glaciers-found-continental-north-america?qt-news_science_products=0#qt-news_science_products
[10] Moon, T. *et al.* 2018. "Rising oceans guaranteed: Arctic land ice loss and sea level rise." *Current Climate Change Reports.* https://doi.org/10.1007/s40641-018-0107-0
[11] "Glaciers and climate change." NSIDC. https://nsidc.org/cryosphere/glaciers/questions/climate.html
[12] IPCC, 2014: Climate Change 2014: Synthesis Report. Contribution of Working Groups I, II and III to the Fifth Assessment Report of the Intergovernmental Panel on Climate Change [Core Writing Team, R.K. Pachauri and L.A. Meyer (eds.)]. IPCC, Geneva, Switzerland, 151 pp (IPCC AR5)

This hearing is focusing on land-based ice, as opposed to sea ice. Arctic sea ice is also diminishing at rapid rates due to anthropogenic warming,[13] but as it melts, it does not contribute to sea level changes unlike glacial and ice sheet melt.[14]

State of the Science on Glacial and Ice Sheet Melt

Globally, land-based ice is deteriorating. According to the Intergovernmental Panel on Climate Change Fifth Assessment Report (IPCC AR5), a diverse range of observational evidence from multiple data sources and independent analysis techniques provide consistent evidence of substantial retreat of mountain glaciers since the 1960s, and the increased surface melting of the Greenland ice sheet since 1993, due to warmer temperatures. According to satellite data, Greenland lost an average of 269 gigatons[15] of ice, equivalent to about 71 trillion gallons of water, per year between 2002 and 2016, with the pace accelerating in recent years (**Figure 1**).[16] Increased surface melt, runoff, and outlet glacier discharge from warmer air temperatures are the primary contributing factors. The portion of the Greenland Ice Sheet experiencing annual melt has increased since 1980, including through significant melting events. For example, an unprecedented 98.6% of the Greenland Ice Sheet surface experienced melt on a single day in July 2012.[17] While there are seasonal patterns of warm-weather ice melt and re-freezing in winter months, when seasonal melt outpaces re-freezing, there is net annual ice mass loss. Just last month, the National Snow and Ice Data Center (NSIDC) in Boulder, Colorado reported that the Greenland Ice Sheet appears to have experienced its biggest mid-June melt event on record.[18]

Figure 1: Greenland ice sheet mass variation since 2002, showing loss of 286 gigatons ice per year. Data source: Ice mass measurement by NASA's Gravity Recovery and Climate Experiment (GRACE) satellites. Credit: NASA.

[13] "Arctic sea ice minimum." NASA. https://climate.nasa.gov/vital-signs/arctic-sea-ice/
[14] Appell, D. "Loss of land ice (not sea ice) = more sea level rise." 2014. https://www.yaleclimateconnections.org/2014/11/loss-of-land-ice-not-sea-ice-more-sea-level-rise/
[15] 1 gigaton = 10^9 tons
[16] IPCC AR5
[17] Ibid.
[18] Samenow, Jason. June 14, 2018. "Temperatures leap 40 degrees above normal as the Arctic Ocean and Greenland ice sheet see record June melting." https://www.washingtonpost.com/weather/2019/06/14/arctic-ocean-greenland-ice-sheet-have-seen-record-june-ice-loss/?utm_term=.2f92ed415b9d

The Western Antarctic Ice Sheet (WAIS), the portion of the Antarctic ice sheet that covers the western part of the continent, is considered the most vulnerable ice sheet on Earth because its bed lies thousands of feet below sea level and is exposed to warm ocean currents.[19] In 2018, a major joint U.S.-UK research collaboration was initiated to study the possibility of "marine ice sheet instability" and "marine ice cliff instability" of the WAIS, focusing on the marine-terminating Thwaites Glacier.[20] Marine ice cliff instability is when a tall cliff that might form at the front of the glacier begins to calve and break in a runaway fashion. Marine ice sheet instability is an inherently unstable architecture caused by atmospheric and ocean warming, which could result in a positive feedback loop of rapid melting of the WAIS, triggering rapid sea level rise. The Thwaites Glacier has increased speed of movement and simultaneously experienced rapid ice thinning. Multiple studies indicate that this collapse is underway in the WAIS and may also be a cause of rapid ice front retreat occurring in Greenland.[21,22]

The WAIS has been experiencing mass loss since the early 1990s, and melt rates have more than tripled in the last 25 years.[23] Recent observed rapid mass loss from West Antarctica's floating ice shelves is attributed to increased glacial discharge rates due to diminishing ice shelves caused by the surrounding ocean becoming warmer.[24] Antarctica as a whole lost more than 3 trillion tons of ice between 1992 and 2017.[25] More recent gravity data collected from space using NASA's Gravity Recovery and Climate Experiment (GRACE) satellites show that Antarctica has been losing more than one hundred km^3 (24 mi^3) of ice each year since 2002 (**Figure 2**).[26] A chunk of ice the size of Delaware broke off on July 12, 2019 from the Larsen C Ice Shelf of the WAIS, which might destabilize the entire ice shelf.[27]

---

[19] Fox, Douglas. "The West Antarctic Ice Sheet Seems to Be Good at Collapsing." *National Geographic*, National Geographic Society, 13 June 2018, www.news.nationalgeographic.com/2018/06/west-antarctic-ice-sheet-collapse-climate-change/

[20] The International Thwaites Glacier Collaboration, https://thwaitesglacier.org/about/itgc

[21] Moon, Twila. May 2017. "Saying goodbye to glaciers: Glacier volume is shrinking worldwide, with wide-ranging implications for society." Science. Vol. 356, Issue 6338.

[22] BBC 30 April 2018. "Thwaites Glacier: Biggest ever Antarctic field campaign." By Jonathan Amos https://www.bbc.com/news/science-environment-43936372

[23] Harvey, C. "Antarctic Melt Rate Has Tripled in the Last 25 Years." June 14, 2018. https://www.scientificamerican.com/article/antarctic-melt-rate-has-tripled-in-the-last-25-years/

[24] IPCC AR5

[25] Harvey, C. "Antarctic Melt Rate Has Tripled in the Last 25 Years." June 14, 2018. https://www.scientificamerican.com/article/antarctic-melt-rate-has-tripled-in-the-last-25-years/

[26] Conway, E. "Is Antarctica melting? – Climate Change: Vital Signs of the Planet." September 16, 2014. https://climate.nasa.gov/news/242/is-antarctica-melting

[27] Fox, Douglas. "The West Antarctic Ice Sheet Seems to Be Good at Collapsing." *National Geographic*, 13 June 2018, www.news.nationalgeographic.com/2018/06/west-antarctic-ice-sheet-collapse-climate-change/



Figure 2: Antarctic ice sheet mass variation since 2002, showing loss of 127 gigatons ice per year. Data source: Ice mass measurement by NASA's GRACE satellites. Credit: NASA.

The IPCC AR5 predicts that global glacier volume, excluding glaciers on the periphery of Antarctica and the Greenland and Antarctic ice sheets, is projected to decrease by 15 to 55% if we limit global temperature rise to 2 degrees Celsius (RCP2.6) and by 35 to 85% under a high emissions scenario (RCP8.5). However, uncertainties remain in the quantification and modeling of key physical processes that contribute to the acceleration of land ice melting. Climate models are unable to capture the rapid pace of observed land ice melt over the last 15 years; a major factor is our inability to quantify and accurately model the physical processes driving the accelerated melting.[28]

The vast majority of global mountain glaciers are losing mass at significant rates. The annually averaged ice mass from 37 global reference glaciers has decreased every year since 1984. Annual average near-surface air temperatures across Alaska and the Arctic have increased over the last 50 years at a rate more than twice as fast as the global average temperature. Due to increasing temperatures, Alaska is losing about 75 billion tons of ice each year.[29]

A recent study provides the clearest picture of Himalayan glacier loss to date, using declassified U.S. spy satellite data from the past 40 years and combining it with contemporary satellite data.[30] The study found that the Himalayas lost 25% of their ice in the last 40 years, equivalent to eight billion tons of water each year. Glacial melt rates tracked temperature increases during the time period studied, meaning the melting can be attributed to warmer temperatures.

Methods for Studying Ice Loss

Measuring mass loss of glaciers is not straightforward but can be estimated used many types of observations, namely, the gravitational pull of the ice, surface elevation of ice sheets, and difference between ice accumulation and loss. The modern study of mass change in glaciers and ice sheets occurs over many spatiotemporal scales, from paleo-glaciological records dating back hundreds of thousands of years, to centimeter-scale in situ measurements, to global images using

[28] IPCC AR5

[29] Fountain, H. "When the Glaciers Disappear, Those Species Will Go Extinct." April 17, 2019. https://www.nytimes.com/interactive/2019/04/16/climate/glaciers-melting-alaska-washington.html

[30] Maurer, J.M. et al. 2019. "Acceleration of ice loss across the Himalayas over the past 40 years." Science Advances. Vol 5, no. 6. DOI: 10.1126/sciadv.aav7266

satellites.[31] Field studies enable detailed sampling and long-term monitoring and involve the use of various instruments. Global Positioning System (GPS), weather stations, seismometers, time-lapse cameras, and radar instruments elucidate glacier hydrology, subsurface environments, and glacier dynamics on time scales of minutes to months.[32] Challenges in observational work are that it is time-consuming, involves travel to remote and difficult to access locations, is expensive, and involves physically demanding work in harsh environments.

The advent of satellite monitoring in the 1990s was a major advancement for studying large ice sheets, allowing for improvements in the ability to estimate ice mass loss. NASA's GRACE satellite mission (2002-2017) and GRACE Follow On (launched May 2018)[33] help estimate ice mass variations, altimetry satellites (e.g. NASA's Ice, Cloud, and land Elevation Satellite, ICESat (2003-2009) and ICESat-2 (launched 2018)[34] and the European CryoSat (1999-2005) and CryoSat-2 (launched 2010))[35] detect changing surface elevations, and optical and radar imaging satellites measure ice motion, monitor glacier advance and retreat, and observe surface properties, including melt. Aerial surveys are able to cover inaccessible regions such as glacial crevasses and help with data collection in between satellite missions.[36]

Observations of ice sheets and glaciers and past records of glaciation are important for understanding Earth's climate system. Observational data is important in validating models in order to predict future changes. Ice sheets are important to the climate system, and incorporating ice sheet models into global climate models will improve projections. However, this is a challenge given ice sheet models are very high resolution and the climate models cannot currently accommodate that level of detail.[37]

Resulting Sea Level Rise

Sea levels have risen over eight inches (23 cm) since the Industrial Revolution and continue to rise 0.13 inches (3.2 mm) each year.[38] One third of current sea level rise is due to thermal expansion of seawater, one third is from ice sheet melt, and one third is from mountain glacial melt. The contribution to sea level rise from Antarctic and Greenland ice sheet melt has gone up from one-tenth just two decades ago, mostly due to Greenland ice losses.[39] The Fourth National Climate Assessment (NCA4) predicts global mean sea level will rise an additional 1.0-4.3 feet by 2100, depending on a low or high emissions scenario.[40] Even with a low emissions scenario and

---

[31] Moon, Twila. May 2017. "Saying goodbye to glaciers: Glacier volume is shrinking worldwide, with wide-ranging implications for society." Science. Vol. 356, Issue 6338.
[32] Moon, T. *et al.* 2018. "Rising oceans guaranteed: Arctic land ice loss and sea level rise." *Current Climate Change Reports.*
[33] The NASA GRACE mission concluded in June 2017 and GRACE's successor mission, GRACE Follow-On, is launching in the summer of 2019.
[34] NASA ICESat and ICESat-2, https://icesat.gsfc.nasa.gov/
[35] European Satellite Agency, CryoSat and CryoSat-2, https://www.esa.int/Our_Activities/Observing_the_Earth/CryoSat/Introducing_CryoSat
[36] Moon, T. *et al.* 2018. "Rising oceans guaranteed: Arctic land ice loss and sea level rise." *Current Climate Change Reports.*
[37] Fourth National Climate Assessment.
[38] Nunez, C. 2017. "Sea level rise, explained." https://www.nationalgeographic.com/environment/global-warming/sea-level-rise/
[39] Shepherd, A. et al. Science. 338: 1183-1189 (2012).
[40] NCA4; Volume I; Ch. 12

not considering additional contribution from ice sheet melt, we are locked in to approximately 1 foot of global sea level rise by the end of the century.[41]

Over the last two decades, significant progress has been made in understanding ice sheet dynamics through combined field and satellite observations and improving numerical models to capture responses of ice sheets to environmental change. However, there is major uncertainty in the amount of additional sea level rise that could occur due to melting of Greenland and Antarctic ice sheets, due to the possibility of marine ice sheet and marine ice cliff instability. Collapse of the WAIS could contribute an additional 11 feet of sea level rise.[42] Sea level rise threatens coastal communities in the U.S. and worldwide and will increase the frequency and extent of extreme flooding associated with coastal storms, such as hurricanes and nor'easters.[43]

Other Impacts of Glacial and Ice Sheet Melt

Glacial and ice sheet mass loss will also have other direct and indirect impacts on humans and ecosystems, including on the climate system and weather patterns, ocean circulation, Earth's rotation, drinking water, and certain fisheries. Mountain glaciers are an important drinking water supply for many people around the world, especially in India, Nepal, and some countries in South America. Approximately 800 million people depend on glacial meltwater from the high mountains of Asia alone.[44] Glacial melt leads to rapid, catastrophic floods and debris flows for these downstream communities.[45]

Recent research suggests ice sheet melt may substantially slow down the major ocean conveyor belt of heat, known as the Atlantic meridional overturning circulation, which helps regulate the climate and affects global weather patterns.[46] There is satellite evidence that ice sheet melt is also responsible for a slight decrease in the speed of the Earth's rotation. This is because ice sheets are at high latitudes, and when they melt, the water is redistributed toward lower latitudes in a phenomenon called "polar wander."[47]

Loss of glacial streams and meltwater will lead to extinction of small creatures that rely on them. If glacial meltwater continues to decline and stream temperatures rise, larger fish populations like salmon and similar fish may also be affected.[48]

---

[41] Ibid

[42] Fox, Douglas. "The West Antarctic Ice Sheet Seems to Be Good at Collapsing." *National Geographic*, National Geographic Society, 13 June 2018, www.news.nationalgeographic.com/2018/06/west-antarctic-ice-sheet-collapse-climate-change/

[43] IPCC AR5

[44] Pritchard, H.D. 2019. "Asia's shrinking glaciers protect large populations from drought stress." Nature, Vol 569. https://doi.org/10.1038/s41586-019-1240-1

[45] Ibid

[46] Harvey, C. 2019. "Melting ice sheets could worsen extreme weather." https://www.scientificamerican.com/article/melting-ice-sheets-could-worsen-extreme-weather/

[47] Dunham, W. 2015. "Melting glaciers blamed for subtle slowing of Earth's rotation." https://www.reuters.com/article/us-science-rotation-idUSKBN0TU2F720151212

[48] Ibid

Chairwoman JOHNSON. Good morning. This hearing will come to order. And without objection, the Chair is authorized to declare recess at any time.

I'd like to welcome our witnesses to the Science, Space, and Technology Committee's hearing entitled, "Earth's Thermometers: Glacial and Ice Sheet Melt in a Changing Climate." It seems as though we're bombarded on an almost daily basis with news articles and reports saying that the world's ice is melting faster than ever. As a matter of fact, I almost invited Mr. Young from Alaska, who moved to Alaska because it was too warm in the United States proper. Since I read about Alaska last week, I thought he might want to hear this.

Pictures show ice sheets in Greenland and Antarctica crashing into the oceans before our eyes. Just last month, a piece of ice the size of the State of Delaware broke off Antarctica, and Greenland was reported to have experienced the biggest June ice melt event on record with temperatures 40 degrees above normal.

The rate of change in the Arctic and Antarctic has been quickening in recent years, according to the Intergovernmental Panel on Climate Change (IPCC) and numerous other scientific bodies. For example, a study published in Nature in January that was led by an international team of more than six dozen researchers tells us that melt rates have more than tripled in western Antarctica in the last 25 years.

Mountain glaciers are also experiencing rapid rates of change. Just a few weeks ago, declassified U.S. spy satellite data clearly showed that Himalayan glaciers lost 25 percent of their ice over the last 40 years. This is equivalent to 8 billion tons of water each year. This puts the hundreds of millions of people in that region who depend on glacial melt as a freshwater source at risk.

According to the 2014 IPCC Assessment Report, without significant reductions in global greenhouse gas emissions, mountain glaciers will lose 35 to 85 percent of their ice by the end of the century under a high emissions scenario. Newer reports indicate that IPCC estimates might even be conservative and that glacial and ice sheet melt rates could even be higher. We need to be listening to Earth's glaciers and ice sheets and what they're telling us about the changing climate.

Glacial and ice sheet melt is responsible for two-thirds of the 8 inches of sea-level rise that we've seen in the last 200 years from the anthropogenic warming, and that sea-level rise is only expected to continue. The western Antarctic ice sheet, which everyone is watching because it is thought to be the most unstable ice sheet, could add another 11 feet of additional sea-level rise if it collapses, which some experts expect could happen at some point. Such an increase would mean many coastal cities would be flooded, and the world as we know it would be different.

What's happening in Greenland, Antarctica, and the high mountain regions matters to us all. Glaciers and ice sheets play vital roles in regulating Earth's climate and weather, provide over two-thirds of the Earth's freshwater supply for drinking and agricultural uses, support fisheries and ecosystem health, and run hydropower plants. I'm glad we have the opportunity to hear today from some of the Nation's leading glacial and ice sheet experts.

And I'd like to welcome Dr. Richard Alley, who last testified before this Committee in 2010. I also want to announce that later today we will be hosting a screening of the award-winning documentary "Chasing Ice" that documents changing ice in the Arctic. It will be followed by a question-and-answer session with two of our witnesses, Dr. Pfeffer, who was a scientific advisor to the film, and Dr. Moon. The screening is free and open to the public, and I hope all of you will join us.

This Committee plays an important role in authorizing both climate science and the research needed to better understand glaciers and ice sheets. Since the 1990s, NASA's (National Aeronautics and Space Administration's) ice-monitoring satellites have led to major discoveries of ice sheet dynamics and melt, while the National Science Foundation (NSF) has funded major field expeditions in ice sheets. I look forward to today's discussion with our distinguished panel to understand how Congress and the Committee in particular can address the critical research gaps in this field.

Thank you.

[The prepared statement of Chairwoman Johnson follows:]

Good morning. I would like to welcome our witnesses to the Science, Space, and Technology Committee's hearing entitled "Earth's Thermometers: Glacial and Ice Sheet Melt in a Changing Climate."

It seems as though we're bombarded on an almost daily basis with news articles and reports saying that the world's ice is melting faster than ever. Pictures show ice sheets in Greenland and Antarctica crashing into the oceans before our eyes. Just last month, a piece of ice the size of Delaware broke off of Antarctica, and Greenland was reported to have experienced the biggest June ice melt event on record with temperatures **40 degrees above normal**.

The rate of change in the Arctic and Antarctic has been quickening in recent years, according to the Intergovernmental Panel on Climate Change and numerous other scientific bodies. For example, a study published in Nature in January that was led by an international team of more than six dozen researchers tells us that melt rates have more than tripled in Western Antarctica in the last 25 years.

Mountain glaciers are also experiencing rapid rates of change. Just a few weeks ago, declassified U.S. spy satellite data clearly showed that Himalayan glaciers lost 25% of their ice over the last 40 years. That is equivalent to eight billion tons of water each year. This puts the hundreds of millions of people in that region who depend on glacial melt as a fresh water source at risk.

According to the 2014 IPCC Assessment Report, without significant reductions in global greenhouse gas emissions, mountain glaciers will lose 35 to 85% of their ice by the end of the century under a high emissions scenario. Newer reports indicate that the IPCC estimates might even be conservative and that glacial and ice sheet melt rates could be even higher.

We need to be listening to Earth's glaciers and ice sheets and what they're telling us about the changing climate. Glacial and ice sheet melt is responsible for two-thirds of the 8 inches of sea level rise we've seen in the last 200 years from anthropogenic warming, and that sea level rise is only expected to continue. The Western Antarctic Ice Sheet, which everyone is watching because it is thought to be the most unstable ice sheet, could add *another 11 feet* of additional sea level rise if it collapses, which some experts expect could happen at some point. Such an increase would mean many coastal cities would be flooded and the world as we know it would be different.

What's happening in Greenland, Antarctica, and in high mountain regions matters to us all. Glaciers and ice sheets play vital roles in regulating Earth's climate and weather, provide over two-thirds of Earth's freshwater supply for drinking and agricultural uses, support fisheries and ecosystem health, and run hydropower plants. I'm glad we have the opportunity to hear today from some of the nation's leading glacial and ice sheet experts. We're lucky to have five distinguished glaciologists here today, and I would like to welcome back Dr. Richard Alley, who last testified before this Committee in 2010.

I also want to announce that later today we will be hosting a screening of the award-winning documentary Chasing Ice that documents changing ice in the Arctic. It will be followed by a question and answer session with two of our witnesses, Dr.

Pfeffer (FEFF-er), who was a scientific advisor to the film, and Dr. Moon. The screening is free and open to the public, and I hope you can join us.

This Committee plays an important role in authorizing both climate science and the research needed to better understand glaciers and ice sheets. Since the 1990s, NASA's ice monitoring satellites have led to major discoveries of ice sheet dynamics and melt, while the National Science Foundation has funded major field expeditions to ice sheets. I look forward to today's discussion with our distinguished panel to understand how Congress, and this Committee in particular, can address the critical research gaps in this field. Thank you.

Chairwoman JOHNSON. And I now will offer our Ranking Member his opening statement time.

Mr. LUCAS. Thank you, Chairwoman Johnson, for holding this hearing, which is another opportunity to examine the impacts of a changing climate on our country and the world at large. While today's hearing will examine the underlying science of this issue and concerns about climate change, I'd like for us to also focus on the agricultural, economic, and geopolitical consequences we can expect from glacial and sea ice melt and, more importantly, how we can address those.

For instance, polar ice sheets cool ocean currents, which affect global weather patterns. As I've mentioned a time or two, weather issues are of paramount importance to farmers and ranchers in Oklahoma and around the world. We do not have a firm grip on how these weather patterns will change due to melting and how we can prepare for these changes.

I also want to consider the economic and geopolitical consequences of glacial and sea ice melt. Five countries, including America and Russia, border the Arctic. Territorial disputes in this region will take on greater importance as resource-rich land and new shipping routes are revealed.

There are significant economic implications from the energy rights, mineral deposits, and tourism opportunities. For instance, Russia is claiming that some newly accessible routes should not be considered international waterways but a part of their sovereign territory. Better research will give us greater insights into how we can expect shipping routes to change so we can prepare to address these issues.

As the Science Committee, we have a responsibility to address our national research priorities, and those must be broader than just how the climate's changing. We need to understand the specific effects so we can adopt and continue our economic growth.

During our first full hearing of this Congress, Members of the Committee discussed how we could embrace a broader portfolio of basic research, energy innovation, and competitive technology to make energy production cleaner, more efficient, and less costly. I hope we can spend more time considering research into innovative technologies like nuclear reactors, battery storage, and carbon capture.

I'd like to thank our witnesses for being here today, and I look forward to our discussion. And I yield back, Madam Chair.

[The prepared statement of Mr. Lucas follows:]

Chairwoman Johnson, thank you for holding this hearing, which is another opportunity to examine the impacts of a changing climate on our country and the world at large.

While today's hearing will examine the underlying science of this issue and concerns about climate change, I'd like for us to also focus on the agricultural, eco-

nomic, and geopolitical consequences we can expect from glacial and sea ice melt and-more importantly-how we can address those.

For instance, polar ice sheets cool ocean currents which affect global weather patterns. As I've mentioned once or twice, weather issues are of paramount importance to farmers and ranchers in Oklahoma and around the world. We do not have a firm grasp of how these weather patterns will change due to melting and how we can prepare for these changes.

I also want to consider the economic and geopolitical consequences of glacial and sea ice melt. Five countries, including America and Russia, border the Arctic. Territorial disputes in this region will take on greater importance as resource-rich land and new shipping routes are revealed.

There are significant economic implications from the energy rights, mineral deposits, and tourism opportunities. For instance, Russia is claiming that some newly accessible routes should not be considered international waterways but part of their sovereign territory. Better research will give us greater insight into how we can expect shipping routes to change so we can prepare to address these issues.

As the Science Committee, we have the responsibility to address our national research priorities and those must be broader than just *how* the climate is changing. We need to understand its specific effects so we can adapt and continue our economic growth.

During our first full committee hearing of this Congress, members of this Committee discussed how we must embrace a broad portfolio of basic research, energy innovation, and competitive technology to make energy production cleaner, more efficient, and less costly.

I hope we can spend more time considering research into innovative technologies like nuclear reactors, battery storage and carbon capture.

I'd like to thank our witnesses for being here today, and I look forward to our discussion.

Chairwoman JOHNSON. Thank you very much.

I'd like to extend a warm welcome to a guest in the audience, Maria, from Chandler, Arizona. Could you stand? We hear you're a rising senior in high school who's interested in studying engineering in college. And it's great to have the next generation of STEM (science, technology, engineering, and mathematics) professionals represented here today. And welcome to all the young people over here, too. Thank you for being here.

At this time I'd like to introduce our witnesses. Our first distinguished witness, Dr. Richard Alley, is the Evan Pugh Professor of Geosciences and Associate of the Earth and Environmental Systems Institute at the Pennsylvania State University. He has spent more than 40 years studying the great ice sheets to help predict future changes in climate and sea levels, and has made four trips to Antarctica, nine to Greenland, and additional expeditions to Alaska and elsewhere. He has authored or co-authored more than 300 scientific papers. He was involved in the IPCC group of contributors that won the 2007 Nobel Peace Prize. He won Pennsylvania State's highest teaching award, and has written a book on climate change and ice cores. He holds a Ph.D. in geology from the University of Wisconsin.

Our second witness, Dr. Robin Bell, is the PGI Lamont Research Professor at Lamont-Doherty Earth Observatory of Columbia University and a member of the faculty at Columbia Earth Institute. She directs programs in ice sheet dynamics, leads efforts to develop innovative technology, and works to improve the scientific culture, especially for women. She has led 10 major expeditions to the polar regions discovering an active volcano, large, deep lakes, and hidden mountain ranges buried by ice. She was instrumental in launching the International Polar Year in 2007 that brought together over 50,000 scientists. Currently, she is the President of the American

Geophysical Union, the largest collection of Earth and space scientists in the world. And her Ph.D. is in geophysics from Columbia University.

Our third witness is Dr. Twila Moon, who is a Research Scientist at the National Snow and Ice Data Center (NSIDC), part of the University of Colorado's Boulder Cooperative Institute for Research in Environmental Sciences. She studies modern changes in glaciers and ice sheets and the connection among ice, climate, ocean, and ecosystems. Her research focuses on the Greenland ice sheet and the Arctic and uses a variety of tools, including satellite remote sensing, fieldwork, and computer simulations. She also leads efforts to improve science and knowledge coproduction between scientists and stakeholders. Dr. Moon received her Ph.D. in Earth and space sciences from the University of Washington.

Our fourth witness, Dr. Gabriel Wolken, is a Research Scientist and Manager of the Climate and Cryosphere Hazards Program at the Alaska Division of Geological & Geophysical Surveys and a Research Assistant Professor at the International Research Center at the University of Alaska Fairbanks. There, he is a Senior Scientist in the Climate Adaptation Science Center. He studies snow and glacier change and their connection to climate and natural hazards through observations, remote sensing, and computer modeling. Dr. Wolken has a Ph.D. in Earth and atmospheric sciences from the University of Alberta.

Our final witness, Dr. William Ted Pfeffer, is a Professor of Civil, Environmental, and Architectural Engineering and a Fellow at the Institute of Arctic and Alpine Research at the University of Colorado Boulder. He has been involved in glaciology research for 40 years, studying the world's mountain glaciers. He has conducted hundreds of field expeditions in the continental USA, Alaska, Canada, Norway, Greenland, Antarctica, the Himalayas, and Africa. He has published over 60 peer-reviewed scientific papers and was a scientific advisor to the Emmy-winning film "Chasing Ice." Dr. Pfeffer earned his Ph.D. in geophysics at the University of Washington.

As our witnesses should know, you will each have 5 minutes for your spoken testimony. Your written testimony will be included in the record of the hearing. When all of you have completed your spoken testimony, we will begin a round of questions. Each Member will have 5 minutes to question the panel. And so we will begin our witnesses now with Dr. Alley.

TESTIMONY OF DR. RICHARD B. ALLEY, EVAN PUGH PROFESSOR OF GEOSCIENCES AND ASSOCIATE OF THE EARTH AND ENVIRONMENTAL SYSTEMS INSTITUTE, PENNSYLVANIA STATE UNIVERSITY

Dr. ALLEY. Thank you, Madam Chairwoman, Ranking Member Lucas, distinguished Members, staff, and citizens, for this opportunity to address you.

We have high scientific confidence that the world is warming primarily because we burn fossil fuels and release CO_2, and this is having broad-based impacts. You've asked us to tell you about changes in snow and ice of which we will get to some of them but not all.

We still have winter, we still have blizzards. Where and when snow and ice care about temperature we are seeing broad-based shrinkage, and this really is having impacts. Earlier spring snow melt means that you can lengthen the fire season. It affects ecosystems; it affects tourism. Loss of Arctic sea ice, as Representative Lucas mentioned, has national security implications, as well as weather implications. Glacier melt is changing streamflow in some of the most overused and politically sensitive rivers on Earth.

I will focus particularly on sea level, which is the biggest global footprint of melting ice. Sea level is rising. Recently, it's been about 1 inch per 8 years. It is rising not because of natural cycles but because of warming. The ocean expands as it warms. The mountain glaciers are melting. The edges of Greenland are melting and putting extra water into the ocean. And there's faster flow of non-floating ice into the ocean from parts of Greenland and Antarctica.

We are committed to some additional sea-level rise. Just as if you drop an ice cube into your tea, it is committed to melting, but it takes a while to melt. The ice has not caught up with the warming we have already caused. But by the time our students are getting old, the decisions that we humans make now and in the future will grow to be the dominant control on how much sea-level rise we experience.

This sea-level rise is already having implications. You can Google the picture of the octopus in the parking garage in Miami on a high tide, not a storm. But the impacts could become much larger. The general projections are that if we don't change our energy system, we will get something like 3 feet of sea-level rise by 2100 above the natural level, the pre-industrial level.

And I'd like to speak about the uncertainties in that, right? So I'd like to do an analogy first. I ride my bicycle to work at Penn State. My wife drives our car. But I drove down here. I saw commuters in the D.C. area. My impression is that a commuter in D.C. expects to spend half an hour stuck in traffic. The best thing that can happen to a commuter is no traffic, but they might spend an hour, and they might get run over by a drunk driver and be in the hospital or worse. What they expect, the most likely future, is well on the good end of the possible futures when you get in that car.

When we look at the sea-level rise, it is similar. Three feet if we don't change our energy system, maybe 2, maybe 4, maybe 5, 10. We're not sure. It could be much worse. And there isn't much better to offset the much worse. There are drunk drivers in the climate system.

I'd like to explain one of them. If you ever get the chance to go to Glacier Bay National Park and Preserve in Alaska, it is a gloriously beautiful place. You can cruise 65 miles up the Bay and see little glaciers breaking off little icebergs in shallow water, and it's still spectacular. When Vancouver was on his cruise in 1796, there was no Glacier Bay. It was entirely full of ice up to a mile thick. When John Muir went by, less than a century later, the Bay was mostly open because icebergs had been breaking off the front of the glacier like dominoes at a rate of up to 7 miles a year, falling over.

That process has happened to other glaciers in Alaska. You have world experts on that process here. It has happened in Chile, in Svalbard. It's happening in Greenland and the Antarctic Peninsula.

It happened to ice sheets in the past. And it's well-known that this happens when it gets too warm where ice flows into the ocean. So far, those have been in narrow valleys. They're spectacular locally but one collapse doesn't raise global sea level a lot. If this starts to happen in parts of Antarctica rather than a narrow valley, it will open into a broad embayment. If that breaks as rapidly as we have seen elsewhere, in the next century you might get 10 feet or so of extra sea-level rise. It could be faster than that.

It is very clear that the uncertainties can be reduced if you fund bright young people to work with the co-panelists up here. That's self-serving, but it's correct. But there may be a little irreducible uncertainty in the same way that you can never predict where every drunk driver might be out on the highway. If we raise temperature, we raise sea level with high confidence, and the uncertainties are it could be a little better, a little worse, or a lot worse. Thank you.

[The prepared statement of Dr. Alley follows:]

Antarctic Contributions to Future Sea-Level Rise: Possible Tipping Points

Testimony of

Dr Richard B. Alley*
Pennsylvania State University

For the hearing entitled

Earth's Thermometers:
Glacial and Ice Sheet Melt in a Changing Climate

Before the

Committee on Science, Space and Technology
United States House of Representatives
Room 2318 of the Rayburn House Office Building
10:00 a.m., July 11, 2019

*Any opinions, findings, conclusions, or recommendations expressed in this publication are those of the author and do not necessarily reflect those of the Pennsylvania State University, the Intergovernmental Panel on Climate Change, the National Academy of Sciences, or other organizations.

Personal introduction and background. My name is Richard Alley. I am an Evan Pugh University Professor of Geosciences and Associate of the Earth and Environmental Systems Institute at the Pennsylvania State University. I have authored or coauthored more than 300 refereed scientific papers, and I have made more than 1000 public presentations concerning my areas of expertise. I have been recognized with awards for research, teaching and service, including election to the US National Academy of Sciences and foreign membership in the Royal Society; as noted above, my comments are my own and do not represent these bodies or any other bodies, but the recognition by these bodies may help establish my credentials as a witness.

My research is especially focused on the great ice sheets of Antarctica and Greenland, their potential for causing major changes in sea level, the climate records they contain, and their other interactions with the environment; I also study mountain glaciers, and ice sheets of the past. I have served with distinguished national and international teams on major scientific assessment bodies, including chairing the U.S. National Research Council's Panel on Abrupt Climate Change (report published in 2002), and serving the U.S. Climate Change Science Program, and the Nobel-Peace-Prize-Winning Intergovernmental Panel on Climate Change (IPCC) in various ways on their Second (1995), Third (2001) and especially Fourth (2007) Assessment Reports. I have had the honor on several occasions of providing requested testimony and briefings to high government officials at the federal as well as state levels, including to legislative committees chaired by members of both major political parties, and to executive officials in administrations of both major political parties, drawing on my expertise to provide scientific information to those working for the public good. Additional information is given in the short biography at the end of this document.

My testimony here is updated from my testimony of November 17, 2010 to the Subcommittee on Energy and Environment of the House Committee on Science and Technology of the United States House of Representatives; the consistency of this testimony reflects the consistency of the scientific understanding, which continues to strengthen without fundamentally changing.

Background on climate change, global warming and sea-level rise. Scientific assessments such as those of the National Academy of Sciences of the United States (e.g., National Research Council, 1975; 1979; 2001; 2006; 2008; 2010a; 2010b), the U.S. Climate Change Science Program (CCSP), and the Intergovernmental Panel on Climate Change (IPCC) have for decades consistently found with increasingly high scientific confidence that human activities are raising the concentration of carbon dioxide and other greenhouse gases in the atmosphere, that this has a warming effect on the climate, that the climate is warming as expected, and that the changes so far are small compared to those projected if humans burn much of the fossil fuel on the planet.

The basis for expecting and understanding warming from carbon dioxide is the fundamental physics of how energy interacts with gases in the atmosphere. This knowledge has been available for over a century, was greatly refined by military research after World War II, and is directly confirmed by satellite measurements and other data (e.g., American Institute of Physics, 2008; Harries et al., 2001; Griggs and Harries, 2007).

With very high scientific confidence, this warming is causing sea level to rise. Since 1993, when high-quality satellite altimetry data have been available, sea-level rise has averaged approximately 3.1 mm/yr, or about 1 inch per 8 years. (Of many sources for this general information on sea-level change, Church et al., 2013 and Lemke et al., 2007 are good starting points, and important information is available at http://sealevel.colorado.edu/ and in Nerem et al., 2018.) The rate of sea-level rise has accelerated. The rise comes from several sources. Much of the energy added to the Earth system because of the rise in atmospheric greenhouse gases has heated the ocean, causing the water to expand and raise sea level. Warming is causing melting of glaciers, shifting water from land to the ocean, with local impacts on water availability and hazards as well as global implications through sea level. Melting has increased mass loss from the surface of the Greenland ice sheet. Faster flow of non-floating ice into the ocean to float and melt has caused mass loss from some parts of the Greenland and Antarctic ice sheets. In addition, groundwater "mining"—removing well-water from the ground more rapidly than replenished—may be contributing a little to sea-level rise. (Note that the IPCC found with high confidence that warming is causing loss of other temperature-sensitive snow and ice, including springtime snow cover and Arctic sea ice, but that these do not contribute in any significant way to sea-level rise; e.g., Lemke et al., 2007.)

Many strands of mutually supporting evidence are woven into the confident knowledge that loss of land ice and warming of the ocean are driving sea-level rise. A large and consistent scientific literature exists on this topic (e.g., The IMBIE Team, 2018), and the synopsis of techniques in Lemke et al. (2007; section 4.6.2.1) provides a broad overview. As explained there, for large ice sheets the gain or loss of mass contributing to sea-level change is measured in three ways: by repeatedly "weighing" the ice sheet using satellites that measure Earth's gravity field, supplemented by data from aircraft and surface measurements; by repeatedly measuring the surface elevation of the ice sheet using altimeters on satellites or aircraft supplemented by surface measurements; and, by measuring and modeling the addition of snowfall to the ice sheet, and the loss by runoff of meltwater or by flow of nonfloating ice into the ocean to float and then melt. Measurements of ocean temperature provide data to estimate the expansion of ocean water, and measurements of changes in mountain glaciers similar to those for ice sheets but involving more on-the-glacier work and more analysis of size changes from satellite imagery provide additional constraints. Sea-level measurements from satellites

and tide gauges monitor the rise of the ocean. Scientists then assess the consistency among the various lines of evidence, and find agreement. Additional tests are also applied. Melting of ice from near the poles and motion of the water into the ocean actually slows Earth's rotation very slightly, something like a spinning ice skater sticking out her arms. And, while Greenland is far north, it is not all the way to the North Pole, so melting of Greenland's ice causes Earth to wobble a bit, something like the skater moving one arm but not the other. These changes in Earth's rotation are tiny, they are not important to most people most of the time, but they test and confirm the other measurements.

Insights from climate history. The hills around Los Angeles have always burned, so we worry about people shooting illegal fireworks during dry summers. People have always died, so we worry about murder. An arson investigator must understand natural fires, and a homicide investigator must understand how people live and die naturally.

The science of climate and sea level includes a "CSI" component (see, for example, CCSP, 2009; Masson-Delmotte et al., 2013). Summarizing those summaries and many other studies, climate has always changed, which shows that climate is changeable. Climate has changed for many reasons—dust from single large volcanic eruptions blocking the sun and causing occasional cold years, features of Earth's orbit slowly shifting sunshine around over tens of thousands of years, small changes in the brightness of the sun, shifts in ocean circulation, the very slow drifting of continents—but naturally caused changes in greenhouse gases and especially carbon dioxide have been very important over Earth's climate history. When warming has occurred, ice has melted and sea level has risen. Careful study of these natural changes and their causes contributes to the strong knowledge that the changes now occurring are not primarily the continuation of some natural cycle, but are instead caused primarily by the human-driven rise in greenhouse gases, mostly carbon dioxide.

Past warming and cooling in response to changing greenhouse-gas concentrations and other forcings including features of Earth's orbit have caused much larger past changes in ice and sea level than have occurred in the last century or that are projected for the next century. But, human burning of fossil fuels could cause a climate change that rivals or exceeds those of the past in combined size and speed (White et al., 2010), raising questions about the future that are discussed next.

Looking to the future. Some additional sea-level rise is already committed, under the future emissions pathways considered by the IPCC. Just as an ice cube placed in a glass of tea takes a while to melt, the warming of the ocean and mass loss from land ice have not yet "caught up" with the warming that has already been caused, and would continue to contribute to some additional sea-level rise even if temperature were stabilized today. Looking toward the time when today's students are old, toward the end of this century and beyond, future human decisions become increasingly important and then dominant in controlling projected sea-level rise (e.g., Church et al., 2013, Fig. 13.27). Under strong warming (RCP8.5), projected sea-level rise in 2100 compared to preindustrial is slightly more than 3 feet, with an uncertainty that the IPCC considered likely to be no more than about 1 foot (details and more-precise numbers available in the source).

One occasionally hears the unsubstantiated claim that the IPCC projections are overly alarmist. In fact, several studies have suggested that, if the IPCC is open to criticism on this subject, it is overly conservative. Rahmstorf et al. (2007), for example, compared observed sea-level rise at the time to projections from earlier IPCC work, and found that the sea was rising faster than the most-likely projection and near the projected likely upper limit. The Third Assessment Report of the IPCC projected a most-likely future in which climate change would cause growth of the Antarctic ice sheet over this century (Church et al., 2001), but Antarctic ice is shrinking (The IMBIE Team, 2018); more-recent central projections from the IPCC indicate less ice loss from Antarctica than observed (Slater and Shepherd, 2018). Garner et al. (2018) compared the history of IPCC projections to other science-based projections, many of which were available to the IPCC assessment teams; Figure 2a in Garner et al. (2018) shows no tendency for the IPCC projections to be higher than in the underlying literature, and some tendency for the IPCC projections to be lower. The new expert elicitation from Bamber et al. (2019) includes information obtained since the IPCC Fifth Assessment Report (Church et al., 2013), and yields potential for higher sea-level rise than generally projected. Quoting from the Abstract, with English units added:

> "For a +2 °C (+3.6 °F) temperature scenario consistent with the Paris Agreement, we obtain a median estimate of a 26 cm (0.85 ft) SLR contribution by 2100, with a 95th percentile value of 81 cm (2.7 ft). For a +5 °C (+9 °F) temperature scenario more consistent with unchecked emissions growth, the corresponding values are 51 and 178 cm (1.7 and 5.8 ft), respectively. Inclusion of thermal expansion and glacier contributions results in a global total SLR estimate that exceeds 2 m (6.6 ft) at the 95th percentile. Our findings support the use of scenarios of 21st century global total SLR exceeding 2 m (6.6 ft) for planning purposes. Beyond 2100, uncertainty and projected SLR increase rapidly. The 95th percentile ice sheet contribution by 2200, for the +5 °C (+9 °F) scenario, is 7.5 m (24.6 ft) as a result of instabilities coming into play in both West and East Antarctica. Introducing process correlations and tail dependences increases estimates by roughly 15%."

Focus on ice-sheet changes. The large ice sheets of Greenland and Antarctica are of special interest, because they are so big and thus could affect sea level so much. Expansion of the ocean as it warms gives just under 1 foot of rise per degree F of warming (0.4 m/1°C) (Levermann et al., 2013), but the ~1000-year time for heat to mix into the ocean makes the resulting sea-level rise relatively slow. Melting of all of the world's mountain glaciers and small ice caps might raise sea level by about 1 foot (0.3 m), but melting of the great ice sheets would raise sea level by just over 200 feet (more than 60 m), with ~23 feet from Greenland (7.3 m) and the rest from Antarctica (Lemke et al., 2007). We do not expect to see melting of most of that ice over the next century or centuries, but even a relatively small change in the ice sheets could matter to the world's coasts; roughly 10% of the world's population lives within 33 feet (10 m) of sea level (McGranahan et al., 2007). I thus next consider the possibility of rapid changes (National Research Council, 2002; 2013; CCSP, 2008; 2009).

Ice-sheet behavior. An ice-sheet is a two-mile-thick, continent-wide pile of snow that has been squeezed to ice under the weight of more snowfall. (For a more-detailed background, see

Cuffey and Paterson, 2010.) Growth or shrinkage of an ice sheet depends on the balance between snowfall and melting on top, and on flow taking accumulated ice to lower elevation, often to the ocean to make icebergs.

The balance between snowfall and melting is of great importance. I will not treat this aspect in detail, however; additional information is available from the IPCC reports cited herein. Generally, warming of subfreezing conditions is expected to increase snowfall because warmer air can carry more water, although additional processes are important. For Greenland and mountain glaciers, the increase in melting from warming is expected to exceed the increase in snowfall. For Antarctica, fairly large warming would be required for mass loss by surface melting to become important, so the surface is expected to gain mass with moderate warming; however, flow increases have recently exceeded changing snowfall leading to mass loss, and this is expected to continue. I thus focus next on the flow characteristics. A review of much of this information is available in Alley et al. (2015) and Scambos et al. (2017) as well as in the IPCC reports and in Cuffey and Paterson (2010). The literature is large and increasing rapidly.

All piles, including glaciers and ice sheets, tend to spread under their own weight, restrained by their own strength (which is why spilled coffee spreads on a table top but the stronger table beneath does not spread), by friction beneath (so pancake batter spreads faster on a greased griddle than on a dry waffle iron), or by "buttressing" from the sides (so an appropriately placed spatula will slow the spreading of the pancake batter).

Some early gothic cathedrals suffered from the "spreading-pile" problem, in which the sides tended to bulge out while the roof sagged down, with potentially unpleasant consequences. The beautiful solution was the flying buttress, which transfers some of the spreading tendency to the strong earth beyond the cathedral. Ice sheets also have "flying buttresses", called ice shelves. In the coldest regions, the ice reaching the ocean usually does not immediately break off to form icebergs, but remains attached to the ice sheet while spreading over the ocean. The friction of these ice shelves with local high spots in the sea floor, or with the sides of embayments, helps restrain the spreading of the ice sheet much as a flying buttress supports a cathedral. The ice shelves are at the melting point where they contact water below, and are relatively low in elevation hence warm above. Ice shelves thus are much more easily affected by climatic warming than are the thick, cold central regions of ice sheets. Rapid melting or collapse of several ice shelves has occurred recently, in response to both atmospheric warming and to intrusion of warmer ocean water, allowing the "gothic cathedrals" behind to spread faster, contributing to sea-level rise. Many additional ice shelves remain that have not changed notably, and these contribute to buttressing of much more ice than was supported by those ice shelves that experienced the large recent changes, so the potential for similar changes contributing to sea-level rise in the future is large.

There are no large ice shelves fed by glaciers in warmer areas, including Alaska, southern Greenland, and elsewhere. Warming of air or water beyond some threshold leads to ice-shelf loss, leaving a calving cliff of the sort that tourists visit on Alaskan cruises or that produce Greenland icebergs that have been filmed and viewed tens of millions of times on video-sharing sites.

Many tourists on Alaskan cruises visit Glacier Bay National Park and Preserve, Alaska. When George Vancouver visited the area in 1794, the bay, which is now about 65 miles long, was completely filled with ice. Less than a century later, when John Muir studied there, most of the bay had lost its ice, as icebergs broke off the front of the glacier at a rate of up to 7 miles per year (Meier and Post, 1987; Post, 1975), and the ice thinned by as much as 1 mile (Larsen et al., 2005). As described by Meier and Post (1987) and many other researchers, ice that ends in the ocean often stabilizes on a local high point or a narrowing of a fjord. It then may remain there for some time despite small climate changes. Under sufficiently large forcing, though, it may retreat rapidly through the deeper or wider part of its valley to the next point of stability, losing ice at a rate that tends to increase with water depth and valley width, but that also depends on other controls. Such behavior has been observed from other Alaskan glaciers, in Greenland, and along the Antarctic Peninsula and elsewhere, and geological evidence indicates that such processes contributed to loss of older ice masses during past, natural warmings.

For Glacier Bay, and many others, the glacier flowed in a relatively narrow fjord. When the ice retreated rapidly from one point of stability to the next, the local changes were spectacular, but the global significance was relatively small. Even in Greenland, the beds of the deep fjords rise inland to near or above sea level, and rapid iceberg calving in deep water cannot discharge most of the ice sheet. Some parts of the Antarctic ice sheet, however, have glaciers that drain large basins rather than narrow fjords, but with ice that is much too thick to float and thus that can raise sea level. Attention is especially, but not uniquely, focused on Thwaites Glacier in West Antarctica, where sufficient retreat could trigger loss of the marine ice of West Antarctica that would add about 11 feet to the total sea-level rise (Scambos et al., 2017). One attempt to put this process into a model and simulate the future found that, once rapid retreat was initiated, most of West Antarctica's marine ice would be lost over the next century or so (DeConto and Pollard, 2016). That model, though, did not allow icebergs to calve faster than a rate that was based on previous observations in Greenland; Thwaites could retreat to regions where the water is much deeper and the valley much wider than in Greenland, and the tendency for deeper water and wider valleys to have faster calving then allows the possibility of even faster sea-level rise.

The uncertainties about this topic are very large. Thwaites Glacier, and other Antarctic outlets, may resist retreat, or they may retreat with intact ice shelves that limit iceberg calving and thus slow the resulting sea-level rise, and additional stabilizing influences may resist collapse. But, Thwaites may retreat rapidly, and East Antarctic ice may join, with even greater potential to cause rise than has been modeled by, e.g., DeConto and Pollard (2016). The basic physical processes involved have been known for a long time, but properly modeling them is at a very early stage, subject to deep uncertainty.

Uncertainty and commuting. I am fortunate to ride my bicycle or jog to work; my wife and I share one car, which she mostly drives. But, I have on occasion driven to Washington, DC, and observed automobile commuting. In my experience, a typical commuter on the Beltway heading downtown at rush hour expects notable delays—say, half an hour. The best commute has no delays. Some commuters may waste an hour in traffic. But, occasionally, a commuter is run over by a drunk driver, and ends up in the hospital or worse. When a commuter sets off

on a trip, the most-likely future (half an hour in traffic) is close to the "good" end of the possible futures (no traffic, versus run over by drunk driver).

The uncertainties about sea-level rise have a vaguely similar distribution. With warming, ocean water expands, mountain glaciers and the edges of Greenland's ice sheet melt more, and the ocean rises in response. The relevant science, as assessed by the IPCC or other authoritative bodies, gives very high confidence that some additional sea-level rise is already committed as the ice and ocean "catch up" with the warming already caused. Furthermore, additional warming will cause more sea-level rise. The uncertainties include a little less or a little more sea-level rise. But, those projections do not include major instability of Antarctic ice. If "collapse" is triggered in West or East Antarctica, a natural "drunk driver", then the sea-level rise could be much larger and faster than in the most-likely projections, with no real agreement on what might be the worst-case scenario.

Dedicated police officers, watchful bartenders, and other community members help reduce drunk driving, while automotive engineers, highway designers, and additional experts help improve safety in the event of an accident. Society thus has considerable knowledge of ways to reduce risks to commuters. But, we still cannot predict the where and when of every drunk driver. Please note that my next statement is potentially self-serving, because colleagues, students, and I personally enjoy conducting research and may receive funding to do research; but, further research can greatly reduce uncertainties on ice sheets and sea-level rise, providing guidance to policy-makers. Nonetheless, even with well-supported, vigorous research, including ongoing efforts by exceptional colleagues, there may remain some irreducible uncertainty.

Synopsis. With high scientific confidence, human actions are raising the greenhouse-gas concentration of the atmosphere especially by releasing carbon dioxide from fossil-fuel burning, this is having a warming influence on the climate, and the resulting rise in temperature is contributing to sea-level rise by expanding ocean water, melting ice, and changing ice flow. Some additional sea-level rise is already committed, but human decisions will become increasingly important in determining how much the sea rises over the coming decades and centuries. If Antarctic ice avoids rapid collapse, then uncertainties in projections of sea-level rise are important but not huge, are being addressed by ongoing research, and can be reduced further by planned research. Rapid iceberg calving under too much warming is a well-known but poorly modeled process; if this becomes active in large, deep Antarctic basins, then sea-level rise could be much larger than generally projected, with much greater uncertainties.

References cited:

Alley, R.B., S. Anandakrishnan, K. Christianson, H.J. Horgan, A. Muto, B.R. Parizek, D. Pollard and R.T. Walker. 2015. Oceanic forcing of ice-sheet retreat: West Antarctica and more. *Annual Reviews of Earth and Planetary Sciences* **43**, 207–231.

American Institute of Physics. 2008. *The Discovery of Global Warming*, by Spencer Weart, http://www.aip.org/history/climate.

Bamber, J.L., M. Oppenheinmer, R.E. Kopp, W.P. Aspinall and R.M. Cooke. 2019. Ice sheet contributions to future sea-level rise from structured expert judgement. *Proceedings of the National Academy of Sciences of the United States of America* **116**(23) 11195-11200.

CCSP. 2008. *Abrupt Climate Change.* A report by the U.S. Climate Change Science Program and the Subcommittee on Global Change Research [Clark, P.U., A.J. Weaver (coordinating lead authors), E. Brook, E.R. Cook, T.L. Delworth, and K. Steffen (chapter lead authors)]. U.S. Geological Survey, Reston, VA, 244 pp.

CCSP. 2009. *Past Climate Variability and Change in the Arctic and at High Latitude.* A report by the U.S. Climate Change Program and Subcommittee on Global Change Research [Alley, R.B., Brigham-Grette, J., Miller, G.H., Polyak, L., and White, J.W.C. (coordinating lead authors). U.S. Geological Survey, Reston , VA 461 pp.

Church, J.A., J.M. Gregory, P. Huybrechts, M. Kuhn, C. Lambeck, M.T. Nhuan, D.Qin, P.L. Woodworth. 2001. Changes in sea level. in: J.T Houghton, Y. Ding, D.J.Griggs, M. Noguer, P.J. Van der Linden, X. Dai, K. Maskell, and C.A. Johnson(eds.): Climate Change 2001: The Scientific Basis: Contribution of Working Group Ito the Third Assessment Report of the Intergovernmental Panel on Climate Change,Cambridge University Press (Cambridge, New York), 639-694.

Church, J.A., P.U. Clark, A. Cazenave, J.M. Gregory, S. Jevrejeva, A. Levermann, M.A. Merrifield, G.A. Milne, R.S. Nerem, P.D. Nunn, A.J. Payne, W.T. Pfeffer, D. Stammer and A.S. Unnikrishnan. 2013. Sea Level Change. In: *Climate Change 2013: The Physical Science Basis. Contribution of Working Group I to the Fifth Assessment Report of the Intergovernmental Panel on Climate Change* [Stocker, T.F., D. Qin, G.-K. Plattner, M. Tignor, S.K. Allen, J. Boschung, A. Nauels, Y. Xia, V. Bex and P.M. Midgley (eds.)]. Cambridge University Press, Cambridge, United Kingdom and New York, NY, USA.

Cuffey, K.M. and W.S.B. Paterson. 2010. *The Physics of Glaciers, Fourth edition.* Oxford.

DeConto, R.M and D. Pollard. 2016. Contribution of Antarctica to past and future sea-level rise. *Nature* **531**(7596), 591–597. doi:10.1038/nature17145.

Garner, A.J., J.L. Weiss, A. Parris, R.E. Kopp, R.M. Horton, J.T. Overpeck and B.P. Horton. 2018. Evolution of 21st century sea level rise projections. *Earth's Future* **6**, 1603–1615.

Griggs, J.A. and J.E. Harries. 2007. Comparison of spectrally resolved outgoing longwave radiation over the tropical Pacific between 1970 and 2003 using IRIS, IMG, and AIRS. *Journal of Climate* **20**, 3982–4001.

Harries, J.E., H.E. Brindley, P.J. Sagoo and R.J. Bantges. 2001. Increases in greenhouse forcing inferred from the outgoing longwave radiation spectra of the Earth in 1970 and 1997. *Nature* **410**, 355–57.

The IMBIE Team. 2018. Mass balance of the Antarctic ice sheet from 1992 to 2017. *Nature* **558**, 219-222. doi: 10.1038/s41586-018-0179-y.

Larsen, C.F., R.J. Motyka, J.T. Freymuller, K.A. Echelmeyer and E.R. Ivins. 2005. Rapid viscoelastic uplift in southeast Alaska caused by post-Little Ice Age glacial retreat. *Earth and Planetary Science Letters* **237**(3-4), 548-560, doi: 10.1016/j.epsl.2005.06.032.

Lemke, P., J. Ren, R. B. Alley, I. Allison, J. Carrasco, G. Flato, Y. Fujii, G. Kaser, P. Mote, R.H. Thomas and T. Zhang. 2007. Observations: Changes in Snow, Ice and Frozen Ground, in Solomon, S., D. Qin, M. Manning, Z. Chen, M. Marquis, K. B. Averyt, M. Tignor and H. L. Miller [eds.], *Climate Change 2007: The Physical Science Basis. Contribution of Working Group I to the Fourth Assessment Report of the Intergovernmental Panel on Climate Change* [Cambridge University Press, New York

Levermann, A., P.U. Clark, B. Marzeion, G.A. Milne, D. Pollard, V. Radic and A. Robinson. 2013. The multimillennial sea-level commitment of global warming. *Proceedings of the National Academy of Sciences of the United States of America* **110**(34) 13745-13750, https://doi.org/10.1073/pnas.1219414110

Masson-Delmotte, V., M. Schulz, A. Abe-Ouchi, J. Beer, A. Ganopolski, J.F. González Rouco, E. Jansen, K. Lambeck, J. Luterbacher, T. Naish, T. Osborn, B. Otto-Bliesner, T. Quinn, R. Ramesh, M. Rojas, X. Shao and A. Timmermann. 2013. Information from Paleoclimate Archives. In: Climate Change 2013: The Physical Science Basis. Contribution of Working Group I to the Fifth Assessment Report of the Intergovernmental Panel on Climate Change [Stocker, T.F., D. Qin, G.-K. Plattner, M. Tignor, S.K. Allen, J. Boschung, A. Nauels, Y. Xia, V. Bex and P.M. Midgley (eds.)]. Cambridge University Press, Cambridge, United Kingdom and New York, NY, USA

McGranahan, G., D. Balk and B. Anderson. 2007. The rising tide: Assessing the risks of climate change and human settlements in low elevation coastal zones. *Environment and Urbanization* **19**, 17–37.

Meier, M.F. and A. Post. 1987. Fast tidewater glaciers. *Journal of Geophysical Research* 92(B9), 9051-9058.

National Research Council, National Academy of Sciences, National Academies Press, Washington, DC:

1975: United States Committee for the Global Atmospheric Research Program, *Understanding Climate Change: A Program for Action*

1979: Ad Hoc Study Group on Carbon Dioxide and Climate, *Carbon Dioxide and Climate: A Scientific Assessment*

2001: Committee on the Science of Climate Change, *Climate Change Science: An Analysis of Some Key Questions*

2002: Committee on Abrupt Climate Change, *Abrupt Climate Change: Inevitable Surprises*

2006: Committee on Surface Temperature Reconstructions for the Last 2,000 Years, *Surface Temperature Reconstructions for the Last 2,000 Years*

2008: *Understanding and Responding to Climate Change: Highlights of National Academies Reports*

2010a: Committee on Stabilization Targets for Atmospheric Greenhouse Gas Concentrations, *Climate Stabilization Targets: Emissions, Concentrations, and Impacts over Decades to Millennia*

2010b: Panel on Advancing the Science of Climate Change, *Advancing the Science of Climate Change*

2013: Committee on Understanding and Monitoring Abrupt Climate Change and Its Impacts, *Abrupt Impacts of Climate Change: Anticipating Surprises*

Nerem, R.S., B.D. Beckley, J.T. Fasullo, B.D. Hamlington, D. Masters and G.T. Mitchum. 2018. Climate-change-driven accelerated sea-level rise detected in the altimeter era. *Proceedings of the National Academy of Sciences of the United States of America* **115**(9), 2022-2025.

Post, A. 1975. *Preliminary hydrology and historic terminal changes of Columbia Glacier, Alaska.* U.S. Geological Survey Hydrological Investigations Atlas.

Rahmstorf, S., A. Cazenave, J.A. Church, J.E. Hansen, R.F. Keeling, D.E. Parker and R.C.J. Somerville. 2007. Recent climate observations compared to projections. *Science* **316**, p. 709.

Scambos, T., R.E. Bell, R.B. Alley, S. Anandakrishnan, D.H. Bromwich, K. Brunt, K. Christianson, T. Creyts, S. Das, R. DeConto, P. Dutrieux, H.A. Fricker, D. Holland, J. MacGregor, B. Medley, J.P. Nicholas, D. Pollard, M.R. Siegfried, A.M. Smith, E.J. Steig, L.D. Trusel, D.G. Vaughan, P.L. Yager. 2017. How Much, How Fast?: A Review and Science Plan for Research on the Instability of Antarctica's Thwaites Glacier in the 21st century. *Global and Planetary Change* **153**, 16-34.

Slater, T. and A. Shepherd. 2018. Antarctic ice losses tracking high. *Nature Climate Change* **8**, 1024-1026.

White, J.W.C., R.B. Alley, J. Brigham-Grette, J.J. Fitzpatrick, A.E. Jennings, S.J. Johnsen, G.H. Miller, R.S. Nerem and L. Polyak. 2010. Past rates of climate change in the Arctic. *Quaternary Science Reviews* **29**(15-16), 1716-1727.

Short Biography. Dr. Richard Alley (PhD 1987 University of Wisconsin; MSc 1983 and 1980 The Ohio State University, all in Geology) is Evan Pugh University Professor of Geosciences and Associate of the Earth and Environmental Systems Institute at the Pennsylvania State University. He has spent more than 40 years studying the great ice sheets to help predict future changes in climate and sea level, and has made four trips to Antarctica, nine to Greenland, and additional expeditions to Alaska and elsewhere. He has been honored for research (including election to the US National Academy of Sciences and Foreign Membership in the Royal Society), teaching (including Penn State's highest teaching award), and service. Dr. Alley participated in the UN Intergovernmental Panel on Climate Change (co-recipient of the 2007 Nobel Peace Prize), and has provided requested advice to numerous government officials in multiple administrations including a US Vice President, Presidental Science Advisors, and committees and individual members of the US Senate and House of Representatives. He has authored or coauthored more than 300 refereed scientific papers. He was presenter for the PBS TV miniseries on climate and energy *Earth: The Operators' Manual*, and author of the book. His popular account of climate change and ice cores, *The Two-Mile Time Machine*, was Phi Beta Kappa's science book of the year. Dr. Alley is happily married with two grown daughters, two stay-at-home cats, a bicycle, and a pair of soccer cleats.

Dr. Richard B. Alley
Evan Pugh University Professor
Department of Geosciences, and Earth and Environmental Systems Institute
The Pennsylvania State University
517 Deike Building
University Park, PA 16802, USA
ph. 814-863-1700
fax 814-863-7823
email rba6@psu.edu

Chairwoman JOHNSON. Thank you very much. Dr. Bell.

TESTIMONY OF DR. ROBIN E. BELL,
LAMONT RESEARCH PROFESSOR,
LAMONT-DOHERTY EARTH OBSERVATORY,
COLUMBIA UNIVERSITY

Dr. BELL. Thank you very much. Chairwoman Johnson, Ranking Member Lucas, Members of the Committee, I'm very pleased to be here today. I'm going to take you on a visual tour because the ice sheets are beautiful. I think that's why we all study them. And we want to share a little bit of that beauty with you, so this is a picture of what Antarctica looks like. And just to give you a sense of scale, this is a huge iceberg with tiny scientists in front of it.

What I'm going to show you today is the evidence for change. I will tell anyone this who stops me anywhere on the street—you stop me on my electric motorcycle, I'm going to tell you this story. There are three signs of change—three really clear signs that the ice sheets are speeding up and changing. One is they're moving faster. In the 1990s, they were moving 1 mile a year. In the 2000s, they're moving 2 miles a year. They've doubled in speed.

Ice happens to be like the mozzarella cheese on top of your pizza, so when you bite into the cheese and stretch it, it gets thinner. So the second measurements we've made is by zapping the ice sheet with a laser, and that's what you see forming is that yellow on the surface is actually where the elevation, just like the cheese is getting stretched, the ice sheet is getting stretched, that's more than half a football field of stretching where the ice sheet is getting lower, second measurement.

Our third measurement is one we make and NASA makes with partners—makes from space. Can we turn the video on—animation on, please? You will see that this is Antarctica again—now we're looking at a whole map of Antarctica, and you're going to see a red dot develop. And what that red dot is showing we're actually losing mass. And remember I showed you it sped up, it lowered. This is a different measurement. This is basically the ice sheet on the bathroom scale. And what you can see is the ice sheet is losing mass predominantly in that place that Richard referred to, the place that's furthest north and exposed to the warming ocean. The ice sheet is losing mass.

We could show you the same things for Greenland, three very clear signals, kind of the scientific gold standard. We like to make independent measurements. This is the evidence that the ice sheets are changing.

What does it mean? We go next to NOAA (National Oceanic and Atmospheric Administration) and we look at NOAA's global collection of tide gauges—so these are really high-tech instruments. They're like pipes stuck in the water, OK? But they measure the tides going up and down and up and down, and they measure storms—the tide levels go way up, 12 feet in New York during Sandy. But you can see most of those are going up. Sea level almost everywhere on the planet is going up except where the planet is still recovering from the ice sheet that was more than 20,000 years ago and it's bouncing back up like a mattress. But this predominant signal globally is it's going up.

There's even one of those fancy pipes right here in Southeast, Washington, and that record goes back to about when my dad was born. And so since my dad was born right here in Washington, D.C., sea level has gone up a foot. And we're using Beth for scale here. Beth is, for today's purposes, 2 meters or 6 feet roughly. And you can see, sea level has risen almost a foot, almost to my knee—I like to think of it—I put my hand on my leg because then I realize what it really means. That's how far sea-level has come up since my dad was born.

So what does that mean? We are working on this problem—I'm back to the uncertainty question. Can I tell you how much sea-level is going to go up in the next hundred years? We are working on it as hard as we can. This is just a range of forecasts published this year. You can see the results—they are spread. This is again Beth for scale, about 6 feet. They range a lot. But when we looked—that's what we're working on is how to be able to tell our communities how much is sea level to go up in the next hundred years because that's what we're building infrastructure. The big bridge we just spent $4 billion on close to my house needs to know what we're going to plan for sea level. Are we going to plan for a couple feet or a lot more?

So when we look at the glacier melt budget altogether, Antarctica is in the next hundred years is on the order of maybe over our knees, maybe a little bit more. Greenland is going to be in there, too. We're going to have warming oceans, and we're going to have mountain glaciers. And while I have this as roughly 4 feet, 3 feet, we don't know. This is cutting-edge research.

And what can we do to improve it? There's a priority of three ideas in my mind—there's three important things to do. One is get up close and personal to the ice sheets. We need to understand better how the ice sheets work so we can improve our models. We used to not be able to have very good models of weather. We do much better now. So number one is get up close and personal.

Second is we need to invest in the workforce. Right now, there are 1,400 scientists at the AGU who are affiliated with ice. Do you know there are 140,000 people enrolled in law school every year? We just don't have enough people working on this. We need more scientists, engineers, educators, creative minds like Maria over there. We need to talk her into studying ice somehow.

And we also need to look at how convergent science works. We need to figure out how to pull together the work that we do, which is on the polar caps, to what's happening at the coastlines around the planet because we kind of need an ice sheet person in every community because we need to understand what the community needs to respond to.

So am I hopeful? Yes, I am hopeful because we are in a unique place as a species that we know how the ice sheet works, we know how sea level rises—we are understanding how our planet works. And we, as scientists, we're all members of the American Geophysical Union. We're actually putting our money where our mouth is. We have a building here in Washington that we just renovated, so it is the first net-zero renovation building in Washington. That means we're taking less energy than we are generating, more energy than we are using to run this building. We'd love to have you

come visit. And we're also very happy to look forward and that this is a time for action among all of us, and we need to bring everybody to the table. Thank you very much.

[The prepared statement of Dr. Bell follows:]

Written Testimony of
Professor Robin Elizabeth Bell
PGI Lamont Research Professor
Lamont-Doherty Earth Observatory of Columbia University
President American Geophysical Union

Earth's Thermometers: Glacial and Ice Sheet Melt in a Changing Climate

For

Committee on Science Space and Technology
U.S. House of Representatives

July 11, 2019

Introduction

Chairwoman Johnson, Ranking Member Lucas, Members of the House Science Committee, thank you for inviting me and my esteemed colleagues to speak today on this important topic- the melting ice on our home planet. This change is happening at the ends of our planet but is lapping at our doorsteps now. I am Robin Elizabeth Bell, and I am the PGI Lamont Research Professor at Lamont-Doherty Earth Observatory of Columbia University and a member of the Earth Institute faculty. At Lamont, I direct programs in ice sheet dynamics, lead efforts to develop innovative technology and work to improve the scientific culture especially for women. I have led ten major expeditions to the polar regions, to both Greenland and Antarctica resulting in discoveries ranging from active volcanism beneath the West Antarctic Ice sheet, to large deep lakes encased by two miles of ice to hidden mountain ranges buried by ice where water under the pressure of thick ice is forced uphill. I was the first women to chair the National Academy of Science's Polar Research Board (2002-2008) where I was instrumental in launching the International Polar Year 2007-9 that brought together over 50,000 scientists from around the globe. The International Polar Year fostered major expeditions, new international collaborations and discoveries that were only possible because of the partnerships between 60 nations. The polar regions are still a challenging place to work and science remains an international team sport. I also co-chaired the recent National Academy report *A Strategic Vision for NSF Investments in Antarctic and Southern Ocean Research* (2015) that set the priorities for Antarctic science. This report identified changing ice as the highest priority science in Antarctica. Recently, I chaired the National Academy of Science Review of the Draft Fourth National Climate Assessment – a comprehensive undertaking by U.S. scientists and citizens documenting the impacts of climate change around the country(USGCRP 2018). Currently, I have the great honor of serving as the President of the American Geophysical Union, or AGU as we all refer to it. Formed 100 years ago, AGU is the society of over 60,000 Earth and space scientists from around the globe who together promote discovery for the benefit of humanity. Today, I am honored to speak about the changing ice sheets with you. Thirty years ago when I first flew over Antarctica in a Naval Research Laboratory P-3 it seemed unimaginable to me that the vast ice sheet below could change. Now we know those white expanses are changing and these changes matter to our homes and communities around the globe. The changing polar ice is tightly linked to the changing coastlines. Although I speak to you today in my capacity as a private citizen, my testimony is based on my decades of experience studying our planet's ice.

Evidence for Changing Ice

The surprising wakeup call for the polar science community came in early 2002. This buzzing alarm came from the Antarctic Peninsula, the part of Antarctica that is the furthest north, jutting towards South America. This is also the destination of Antarctic

cruises which over 14,000 Americans visit each year The Antarctic Peninsula is where global temperatures have risen the most - more than 7°F over 50 years. We had thought ice sheets and the state-sized ¼-1/2 mile thick pieces of floating ice that pin them in place changed really slowly. These floating extensions of continental ice sheets are called ice shelves. But by 2002, warming temperatures had started to produce more meltwater on top of the ice. The floating Larsen B Ice Shelf, the size of Rhode Island, developed hundreds of lakes. Suddenly the ice shelf disintegrated into thousands of icebergs over the course of two weeks (Scambos, Hulbe et al. 2003). The change occurred before our very eyes. The Larsen B ice shelf had been in place for over 10,000 years (Domack, Duran et al. 2005). Once the floating ice shelf disintegrated, the glaciers that flowed into the ice shelf sped up, pushing more ice into the ocean (Rignot, Casassa et al. 2004, Scambos, Bohlander et al. 2004). Glaciers are the earth's conveyor belts delivering ice to the ocean and an ice shelf controls the speed– if an ice shelf collapses, the conveyor belt speeds up. The satellite images of this collapse were printed in major newspapers around the globe (for example: The Charleston Gazette, St Louis Post, The Gazette – Ft. Wayne Indiana, The Patriot – Harrisburg, PA, Chicago Tribune, Rocky Mountain News, The Economist, The Wall Street Journal, The New York Times, Toronto Star, Calgary Herald, The Press - New Zealand, Belfast Telegraph, The Australian, China Daily, The Statesman- India). Suddenly, changing ice was newsworthy. Together scientists and the public from Harrisburg to India learned Antarctic ice could change faster than we imagined. The Antarctic conveyor belt had sped up. For the first time many around the world saw the link between blue meltwater on the ice shelf surface, the glacier conveyor belt speeding up and sea level rising.

Over the ensuing decades, the evidence for the changing ice on our planet has become very clear. I will focus on the grounded ice, the large ice sheets in Antarctica and Greenland where thick ice, in places over two miles thick, rests on solid ground although the ground may be well below sea level. Melting these ice sheets will raise sea level around the globe. Antarctica holds 200 feet of potential sea level rise and Greenland 20 feet of sea level rise– although no scientists are suggesting they will completely disappear any time soon. These very thick ice sheets are distinct from the relatively thin floating sea ice (around 10 feet) that covers much of the Arctic Ocean and rings the Southern Ocean close to Antarctica. Sea ice is like the layer of ice cubes floating in a punch bowl. The Arctic sea ice has been steadily shrinking over the past two decades and recently the Antarctic sea ice has begun to retreat. Changing sea ice shifts the Earth's albedo and weather patterns, impacts food available to wildlife from penguins to polar bears, and opens new shipping routes. But shrinking sea ice itself will not cause sea level to rise, since sea ice is already floating in the water. The major source of future sea level rise are the grounded ice sheets. Melting ice sheets are like the kid with a new twenty-pound bag of

ice at the picnic who pours the entire bag into the bowl without thinking. The glaciers are conveyor belts of ice being delivered to the ocean, and we see them speeding up.

I often get asked "do you believe the ice is changing?" My response is – changing ice is not a belief but knowledge that emerges from three independent observations. These independent observations are primarily based on satellite measurements enabled by NASA working with other space agencies around the globe. The first measurement is how fast the ice moves. Several parts of the Antarctic Ice Sheet (key parts of the conveyor belts) have doubled their speed in the past two decades, showing that the ice is speeding up (Rignot, Mouginot et al. 2011). The second measurement is the height of the ice surface, and is made using laser and radar instruments from a satellite or aircraft. In the same places where the ice is speeding up the ice surface is getting lower. Ice, like mozzarella cheese atop a pizza, is getting thinner and lower because it is stretching. The third measurement is ice sheet mass, or weight, which is calculated from observations from a pair of identical satellites chasing each other and measuring changes in the gravity field(Velicogna, Sutterley et al. 2014, Harig and Simons 2015). In the same places that the ice is speeding up and lowering, it is losing mass. These three measurements together demonstrate in more detail than ever before how the ice in Greenland and Antarctica is changing.

Scientists from around the globe have used these three key observations to quantify how fast the ice sheets are changing. To quantify the change over a large continent like Antarctica, the size of the lower 48 states, requires careful examination of each measurement and resolving issues such as how the snow that falls on Antarctica turns into ice. After much lively debate and testing of assumptions by a team of 77 scientists from around the world, the clear signal is that Antarctica is losing ice, as is Greenland. The current mass loss from the ice sheets is contributing one millimeter of sea level rise globally each year (Shepherd, Ivins et al. 2018) although this rise is not evenly distributed around the globe. Antarctica is now losing mass at twice the rate it was in the 1990s. For these calculations, the team broke Antarctica up into three parts, the Peninsula where the Larsen B Ice Shelf was; West Antarctica, the ice sheet that rests on low-lying topography and is exposed to changes in the ocean temperature and East Antarctica, the large ice sheet where the South Pole is that sits on higher topography. Each region stores different amounts of ice, has a different history and a different susceptibility to a warming world. The West Antarctic Ice Sheet is the most susceptible to warming oceans and atmosphere as it sits lower and is in direct contact with the ocean. West Antarctica is where the greatest changes have occurred over the past decade. Most of the 0.3 inches (8 mm) of sea level rise from Antarctica in the last decade has come from West Antarctica. This region was the highest priority in the 2015 National Academy report *A Strategic*

Vision for NSF Investments in Antarctic and Southern Ocean(National Academies of Sciences and Medicine 2015).

Evidence for Changing Coastlines

Why did the changing ice emerge as the highest priority in the National Academy Report? We are beginning to see the melting ice, including from Antarctica, at the tide gauges along our coastline. Globally, average sea level has risen 8-9 inches since 1880, with the global rise since 1993 being 3 inches (Hay, Morrow et al. 2015, Nerem, Beckley et al. 2018). Right here at the dock along the bike path in Southeast Washington sea level has risen a foot in since 1919 (https://tidesandcurrents.noaa.gov/sltrends). I put my hand on my leg just below my knee and realize the water level has risen that far since my father was born.

At most locations around the globe sea level is rising now, although the ocean turns out to be more complicated than the punch in the punchbowl. At a few locations, sea level is actually falling. Three major components make up the change at an individual coastal city: the change in ocean temperature, the melting ice and whether the land the city rests on is rising or sinking. Up to now the warming of the ocean waters by 1.3°F since 1960 is the major signal that has appeared at our coasts. But, melting ice has the greatest potential for new rapid sea level rise globally. To complicate things further, the melting ice contribution to changes sea level is modulated by the self-gravitation of the ice sheets. Already the modulation of the impact of melting ice in Greenland by the self-gravitation is apparent in the tide gauges along the east coast of the United States. Because of this gravitational effect, sea level is rising faster in the Southeastern US than in New England. Atop these signals are local impacts. The land cities and towns are built on can be rising or sinking, impacting local sea level. In cities like Juneau and Stockholm (Milne, Davis et al. 2001) the land is rising due to the loss of ice 20,000 years ago while cities like Norfolk, Virginia and New Orleans are sinking due to removal of groundwater (Sweet, Kopp et al. 2017). Every community is going to see a different future sea level depending the ocean temperature, the changing ice, and whether the land is rising or falling. Linking the changing ice to the changing coastlines is a challenge that will require collaboration from the ice to the shorelines.

Impacts of Changing Coastlines Now and Looking Ahead

So we have begun to witness the melting ice and see the impact along our shorelines. The higher sea level made the impact of recent major storms like Maria, Harvey, Irma and Sandy more devastating. For example, close to my home 30 miles from the Atlantic Ocean they used bulldozers to clear boats from the roads after Superstorm Sandy. Because of the sea level rise over the past century, 45,000 more people were impacted by Sandy's flooding. The impact of rising sea level is not just during major

storms. All around the US we are seeing increased nuisance flooding. Nuisance flooding is called sunny day flooding where high tides in fair weather make it difficult to get home because the roads are flooded. Miami and Norfolk are both experiencing this and are working to adapt to this. Scientists are working to provide these cities with the forecasts of future sea level they need to adapt.

Looking Ahead: Current Ice Sheet Change Projections

Looking ahead the scientific community is scrambling to provide answers to how fast and how much will sea level rise in each community from ice sheet melt. Suddenly city managers, architects, reinsurance companies and resiliency officers care about Antarctic ice. The efforts to answer the how-fast-how-much question range from simple exercises to frame the problem to quizzing experts locked in a room (Bamber and Aspinall 2013) to probabilistic projections (Edwards, Brandon et al. 2019) and full-blown ice sheet models (Feldmann and Levermann 2015, DeConto and Pollard 2016). These models are like weather models only for ice. In contrast to weather and hurricane models, these models are still in the early stages of development. Ice sheet modeling scientists have made big advances in these efforts, such as figuring out how to capture mathematically the changing forces when ice goes afloat and using the latest supercomputing resources to allow the models to include many of the important stresses at play within the ice. The ice sheet models are now linked to different futures, whether temperatures go up a little, a lot or a huge amount. These different futures will be determined by how much CO_2 we release into the atmosphere. The science community is working through this collaboratively and through peer review, the way good science happens. An idea is published, the community tests it and new ideas are advanced. Since scientists have never watched an ice sheet disappear, we use records from the past We know sea level rises when temperature rise --- Miami is built on rocks formed in a shallow sea very similar to the Bahamas today. The hills of Miami formed 120,000 years ago when the planet was warm and sea level was 19-30 feet higher than it is now. The other point we use to calibrate our models is from three million years ago, the last time CO_2 was as high as it is now sea level was 19-65 feet higher than it is now.

The challenges the scientists working on the models face include that is that we are still learning so much about how ice sheets work. For example, while we are all familiar with how water flows across our familiar landscape, we are just now working to understand what happens when water collects on Antarctica. Greenland wears a necklace of blue ponds every summer and has water hidden in crevasses and in the snow. What happens if Antarctica warms until it looks like Greenland(Bell, Banwell et al. 2018)? Will all the new water make the remaining ice shelves disintegrate like the Larsen B, triggering more glaciers/conveyor belts to accelerate, or will rivers form atop the ice(Kingslake, Ely

et al. 2017)? Will we will see giant ice cliffs that become unstable causing a sudden runaway collapse of the ice switching the glacier conveyor belts to hyper-fast? These are the ice processes that might produce drastically accelerate sea level rise. Models with lots of meltwater and collapsing cliffs predict close to six feet of sea level rise from Antarctica by 2100. More recent publications suggest that the number might be closer to 1-1.5 feet (45 cm). As we discover new important processes and discover more, these numbers will change. Our knowledge-base and our models are evolving. My family has a boat on the Hudson and we worry about hurricanes every summer. Thirty years ago the hurricane models could not tell us whether the hurricane was going to hit Maine or our New York home, now we can plan much better. We knew Sandy was possibly coming ten days out and were able to prepare. The improvement in hurricane prediction illustrates that the ice predictions can improve if we work on it by building our knowledge base, deepening the bench of scientists and fostering interdisciplinary and international collaborations.

Three Essentials to Improve Ice Sheet Melt Projections

The Antarctic melt projections for 2100 range from just below my knee or over my head, or, quantitatively 1-6 feet. How can we narrow down this answer about how Antarctica will melt in the coming decades? My neighbors are asking me. There are three critical things essential to improving the predictions: knowledge of processes (or how ice sheets work), people (to explore, discover, model and communicate, and fostering collaboration: 1) Processes: We have never witnessed an ice sheet collapse and improving our predictions requires getting up close and personal with the ice sheets to better understand how ice sheets work and intense efforts to decide how best to describe these processes in ice-sheet models. 2) People: The community studying ice around the world has grown but the community is still really small. 3) Collaboration: Because changing ice is controlled by the ocean, the atmosphere, the underlying geology and ice physics and Antarctica are huge, this work requires collaboration across disciplines and nations.

Our understanding of the process of how ice sheets work has made huge advances. Prior to the International Geophysical Year in 1958, we did not even know how much ice there was in Antarctica. By the 1980s we began to understand why those giant conveyor belts of ice can deliver so much ice to the ocean (Alley 1986). These conveyor belts can be over 60 miles wide and in Antarctica move up to about 1.5 miles per year. In Greenland the conveyor belts move even faster – more than 7.5 miles a year. In the 1990s we began both to drill through the ice sheet and to study extensive regions with aircraft and we discovered that the geology underneath matters. In the 2000s we realized there were extensive networks of water beneath the ice including large lakes, one the size of New Jersey (Kapitsa, Ridley et al. 1996), smaller lakes that will slowly fill and drain (Fricker, Scambos et al. 2007), and water networks that move the water. Where the water goes matters because the water is part of the basal lubrication system. Some of the big

unknowns include: what is happening in this hidden environment beneath the ice, how will the warming ocean and atmosphere attack the ice sheet and will surface water trigger collapse of all the major ice shelves?

We have discovered a lot but there remains a lot to be learned. We as a species have lived with changing weather and have a deep knowledge of weather systems behave. Our grandmothers understood the wispy angular clouds they called mare's tails meant rain soon, but we can now predict to the hour when the rain will arrive. We as a species have far less experience with collapsing ice sheets. To improve our models, we must get up close and personal with the ice sheets. The satellite record has clearly shown us that change is happening but it is the work in Antarctica from surface ships and aircraft that is essential to foster the advances in understanding of how ice sheets work that will improve our projections. NASA's Operation Icebridge is an example of the importance of comprehensive imaging of the ice sheets that fostered a new norm of freely available open data. The National Science Foundation has responded to the 2015 National Academy report by launching a major program collaboratively with the United Kingdom's Natural Environment Research Council (NERC), the International Thwaites Glacier Collaboration. Thwaites Glacier, one of the largest conveyor belts, is considered one of the most unstable pieces of ice on the planet. Thwaites Glacier is wide and is perched on a topographic ridge where the warming ocean is known to be thinning the ice. Because this glacier can deliver a lot of ice to the ocean fast and because it is already showing signs of thinning and shrinking it is a major threat and a high priority (NAS). The major NSF/NERC initiative (Scambos, Bell et al. 2017) this as an example of the type of work that is essential to launch around all of Antarctica. Advancing the basic understanding of how the ice sheets work and the processes that control their melting, will improve our predictions. Think of Antarctic scientists as the hurricane hunters for sea level.

The second critical need to improve our projections is people. As President of AGU and as former President of the Cryosphere Section (the best job title ever), I am acutely aware of how small our community is. Now, the AGU Cryosphere section has 1,492 members. This number includes scientists from around the globe studying ice, snow and sea ice. To put that in perspective in 2010, there were about 140,000 people enrolled in law school in the US. In a single year, 100 times more people were studying law than the entire global community studying changing ice. There is an acute personnel problem. We need more scientists working on this problem if we are to improve our projections. Science and the science of melting ice from the Arctic to the South Pole must be an open welcoming community. The science is remarkable and the discoveries to be made remarkable. We have barely started to scratch the surface of the ice sheets.

The third need is to fully embrace ice as part of the changing earth and enable truly convergent work. When your child is in the hospital with a sudden ailment you really want the specialists to be working together to provide the best care. The ice community is coming to the realization that we need to take a similar approach. We recently completed ROSETTA, a study of the largest ice shelf in Antarctica, the Ross, just a little smaller than Texas. Using Recovery Act funding, in partnership with the New York Air National Guard, we repurposed military imaging technology for ice studies. After three years of flying the IcePod over the Ross Ice Shelf we realized that it was impossible to understand how the ice will melt without bringing all the specialists to the table. We learned that the geology is in essence protecting that sector of West Antarctica from the warming global ocean but the vulnerability is to heat pumped under the ice shelf from the shallow ocean waters by strong winds(Tinto, Padman et al. 2019). It took scientists from many disciplines working together on the same data sets to converge on these complex processes. We were acting like that team of specialists working together for the good for a patient. It is essential to foster this convergent work for the planet and our species. To move the Antarctic work forward will require interdisciplinary and international collaboration as fostered by NSF in the ITGC program but on a larger scale. Ice science must also be more tightly linked to our changing coastlines so each community will know how to respond and adapt. I am hopeful. With investment the hurricane forecasts have improved. We can improve the melt forecasts and provide better information to our neighbors.

Thank you for inviting me to speak today. I am heartened that the House Science Committee is considering this very important issue. We are very fortunate as a species to have the capacity to see how our home planet works and have the capacity to address this issue both scientifically and technically. If we continue to foster collaborative science across disciplines, the science community will be able to provide our communities with accurate projections of how sea level will rise. If ice scientists work with coastal scientists, we can develop tailored projections for each community. I am also heartened as I see individuals, communities, state governments and professional societies taking action to reduce the underlying cause of the changing ice – our greenhouse gas emissions. The AGU community is very proud of our headquarters on Florida Avenue. This building, long known for the planets in the sidewalk, is Washington DC's first net-zero emissions building renovation. Reaching net zero required multiple technologies from solar panels to heat exchange with the sewer system to green walls. Similarly, using the same multi-pronged strategy, we as a species can address the issue of climate change and ice melt with broad concerted efforts, from individuals, communities and governments.

References: R.E. Bell House Testimony

Alley, R. B. (1986). "Deformation of till beneath ice stream B, West Antarctica." Nature **322**: 57-59.
Bamber, J. L. and W. Aspinall (2013). "An expert judgement assessment of future sea level rise from the ice sheets." Nature Climate Change **3**(4): 424.
Bell, R. E., A. F. Banwell, L. D. Trusel and J. Kingslake (2018). "Antarctic surface hydrology and impacts on ice-sheet mass balance." Nature Climate Change **8**(12): 1044-1052.
DeConto, R. M. and D. Pollard (2016). "Contribution of Antarctica to past and future sea-level rise." Nature **531**: 591–597.
Domack, E., D. Duran, A. Leventer, S. Ishman, S. Doane, S. McCallum, D. Amblas, J. Ring, R. Gilbert and M. Prentice (2005). "Stability of the Larsen B ice shelf on the Antarctic Peninsula during the Holocene epoch." Nature **436**(7051): 681-685.
Edwards, T. L., M. A. Brandon, G. Durand, N. R. Edwards, N. R. Golledge, P. B. Holden, I. J. Nias, A. J. Payne, C. Ritz and A. Wernecke (2019). "Revisiting Antarctic ice loss due to marine ice-cliff instability." Nature **566**(7742): 58.
Feldmann, J. and A. Levermann (2015). "Collapse of the West Antarctic Ice Sheet after local destabilization of the Amundsen Basin." Proceedings of the National Academy of Sciences **112**(46): 14191-14196.
Fricker, H. A., T. Scambos, R. Bindschadler and L. Padman (2007). "An active subglacial water system in West Antarctica mapped from space." Science **315**: 1544-1548.
Harig, C. and F. J. Simons (2015). "Accelerated West Antarctic ice mass loss continues to outpace East Antarctic gains." Earth and Planetary Science Letters **415**: 134-141.
Hay, C. C., E. Morrow, R. E. Kopp and J. X. Mitrovica (2015). "Probabilistic reanalysis of twentieth-century sea-level rise." Nature **517**(7535): 481.
Kapitsa, A., J. K. Ridley, G. d. Q. Robin, M. J. Siegert and I. Zotikov (1996). "Large deep freshwater lake beneath the ice of central East Antarctica." Nature **381**: 684-686.
Kingslake, J., J. C. Ely, I. Das and R. E. Bell (2017). "Widespread movement of meltwater onto and across Antarctic ice shelves." Nature **544**(7650): 349.
Milne, G. A., J. L. Davis, J. X. Mitrovica, H.-G. Scherneck, J. M. Johansson, M. Vermeer and H. Koivula (2001). "Space-geodetic constraints on glacial isostatic adjustment in Fennoscandia." Science **291**(5512): 2381-2385.
National Academies of Sciences, E. and Medicine (2015). A Strategic Vision for NSF Investments in Antarctic and Southern Ocean Research. Washington, DC, The National Academies Press.
Nerem, R., B. Beckley, J. Fasullo, B. Hamlington, D. Masters and G. Mitchum (2018). "Climate-change–driven accelerated sea-level rise detected in the altimeter era." Proceedings of the National Academy of Sciences: 201717312.
Rignot, E., G. Casassa, P. Gogineni, W. Krabill, A. Rivera and R. Thomas (2004). "Accelerated ice discharge from the Antarctic Peninsula following the collapse of Larsen B ice shelf." Geophysical Research Letters **31**(18).
Rignot, E., J. Mouginot and B. Scheuchl (2011). "Ice flow of the Antarctic ice sheet." Science **333**(6048): 1427-1430.
Scambos, T., C. Hulbe and M. Fahnestock (2003). "Climate-induced ice shelf disintegration in the Antarctic Peninsula." Antarctic Peninsula Climate Variability: Historical and Paleoenvironmental Perspectives, Antarct. Res. Ser **79**: 79-92.
Scambos, T. A., R. E. Bell, R. B. Alley, S. Anandakrishnan, D. Bromwich, K. Brunt, K. Christianson, T. Creyts, S. Das and R. DeConto (2017). "How much, how fast?: A science review and outlook for research on the instability of Antarctica's Thwaites Glacier in the 21st century." Global and Planetary Change **153**: 16-34.
Scambos, T. A., J. A. Bohlander, C. A. Shuman and P. Skvarca (2004). "Glacier acceleration and thinning after ice shelf collapse in the Larsen B embayment, Antarctica." Geophys. Res. Lett. **31**.

Shepherd, A., E. Ivins, E. Rignot, B. Smith, M. van den Broeke, I. Velicogna, P. Whitehouse, K. Briggs, I. Joughin, G. Krinner, S. Nowicki, T. Payne, T. Scambos, N. Schlegel, G. A, C. Agosta, A. Ahlstrøm, G. Babonis, V. Barletta, A. Blazquez, J. Bonin, B. Csatho, R. Cullather, D. Felikson, X. Fettweis, R. Forsberg, H. Gallee, A. Gardner, L. Gilbert, A. Groh, B. Gunter, E. Hanna, C. Harig, V. Helm, A. Horvath, M. Horwath, S. Khan, K. K. Kjeldsen, H. Konrad, P. Langen, B. Lecavalier, B. Loomis, S. Luthcke, M. McMillan, D. Melini, S. Mernild, Y. Mohajerani, P. Moore, J. Mouginot, G. Moyano, A. Muir, T. Nagler, G. Nield, J. Nilsson, B. Noel, I. Otosaka, M. E. Pattle, W. R. Peltier, N. Pie, R. Rietbroek, H. Rott, L. Sandberg-Sørensen, I. Sasgen, H. Save, B. Scheuchl, E. Schrama, L. Schröder, K.-W. Seo, S. Simonsen, T. Slater, G. Spada, T. Sutterley, M. Talpe, L. Tarasov, W. J. van de Berg, W. van der Wal, M. van Wessem, B. D. Vishwakarma, D. Wiese, B. Wouters and I. t. The (2018). "Mass balance of the Antarctic Ice Sheet from 1992 to 2017." Nature **558**(7709): 219-222.

Sweet, W. V., R. E. Kopp, C. P. Weaver, J. Obeysekera, R. M. Horton, E. R. Thieler and C. Zervas (2017). "Global and regional sea level rise scenarios for the United States."

Tinto, K., L. Padman, C. Siddoway, S. Springer, H. Fricker, I. Das, F. C. Tontini, D. Porter, N. Frearson and S. Howard (2019). "Ross Ice Shelf response to climate driven by the tectonic imprint on seafloor bathymetry." Nature Geoscience: 1.

USGCRP, Ed. (2018). Impacts, Risks, and Adaptation in the United States: Fourth National Climate Assessment, Volume II Washington, DC, USA,
, U.S. Global Change Research Program.

Velicogna, I., T. Sutterley and M. Van Den Broeke (2014). "Regional acceleration in ice mass loss from Greenland and Antarctica using GRACE time - variable gravity data." Geophysical Research Letters **41**(22): 8130-8137.

I am Robin Elizabeth Bell, and I am the PGI Lamont Research Professor at Lamont-Doherty Earth Observatory of Columbia University and a member of the Earth Institute faculty. At Lamont, I direct programs in ice sheet dynamics, lead efforts to develop innovative technology and work to improve the scientific culture especially for women. I have led ten major expeditions to the polar regions, to both Greenland and Antarctica resulting in discoveries ranging from active volcanism beneath the West Antarctic Ice sheet, to large deep lakes encased by two miles of ice to hidden mountain ranges buried by ice where water under the pressure of thick ice is forced uphill. I was the first women to chair the National Academy of Science's Polar Research Board (2002-2008) where I was instrumental in launching the International Polar Year 2007-9 that brought together over 50,000 scientists from around the globe. I also co-chaired the recent National Academy report A Strategic Vision for NSF Investments in Antarctic and Southern Ocean Research (2015) that set the priorities for Antarctic science. Recently, I chaired the National Academy of Science Review of the Draft Fourth National Climate Assessment – a comprehensive undertaking by U.S. scientists and citizens documenting the impacts of climate change around the country(USGCRP 2018). Currently, I have the great honor of serving as the President of the American Geophysical Union, or AGU as we all refer to it.

Chairwoman JOHNSON. Thank you very much. Dr. Moon.

TESTIMONY OF DR. TWILA A. MOON, RESEARCH SCIENTIST, NATIONAL SNOW AND ICE DATA CENTER'S COOPERATIVE INSTITUTE FOR RESEARCH IN ENVIRONMENTAL SCIENCES

Dr. MOON. Chairwoman Johnson, Ranking Member Lucas, Members of the Committee, thank you for the opportunity to testify today.

Land ice loss has serious consequences within the United States and across the globe, and I'm honored to share my scientific expertise with the Committee.

Glaciers and ice sheets are Earth's water towers. Only 2.5 percent of the world's water is fresh water, and most of that fresh water is contained within glaciers and ice sheets or land ice. As Earth's water towers, glaciers are valuable sources of drinking water, irrigation water, and hydropower. But land ice is now melting at a rapid and accelerating pace, increasing risks for hundreds of millions of people who depend on them for survival and prosperity. And it is raising sea levels across the globe.

Today, land ice loss is the biggest contributor to sea-level rise. Sea-level rise can contaminate drinking water, erode coasts, overwhelm stormwater and wastewater systems, and cause increased or permanent flooding. Over just the last 25 years, average sea level around the globe has already risen 3 inches. But because sea-level rise is not evenly distributed, some areas like regions of the U.S. Gulf Coast and eastern seaboard are already dealing with more than double this amount.

The impacts we are facing today, however, may pale in comparison to the changes we could experience in the future. If we continue on our current path of high greenhouse gas emissions, it's reasonable to expect 2.5 feet or more of sea-level rise in the next 80 years. In regions of the Gulf Coast and the eastern seaboard, that number will be significantly higher.

The Greenland ice sheet, which is more than 2 miles thick in its center and covers an area the size of Texas, California, Arizona, and Nevada combined is an important player in sea-level rise. Since the early 2000s, ice loss from Greenland has increased rapidly, and Greenland is now a primary player in land ice contribution to sea-level rise.

The cause of ice loss is clear. Greenland and glaciers around the world are melting and more rapidly spilling their ice into the sea as a direct result of warming air and warming ocean water due to manmade greenhouse gas emissions. During the last 2 decades, the science community has made substantial strides in understanding Greenland ice sheet behavior and projecting future ice loss. But for any given future greenhouse gas emissions pathway, there is still a large range in projections for how much ice Greenland will lose.

Narrowing the range of future possibilities and our projections of them is possible. The United States can lead by supporting targeted research on the physical processes that control ice sheet behavior by developing systems to collect long-term observations and by fostering iterative research that connects observations and computer models. Science will also advance more quickly and better

serve the public good if strong connections are fostered among scientific disciplines and between scientists and stakeholders. You can ensure this happens by increasing coordinated opportunities for interagency funding and actively funding activities that bring together scientists and decisionmakers.

Finally, I want to emphasize a critical difference in the roles of science and policy in addressing land ice loss and its impacts. Increasing scientific knowledge is essential to more accurately project what the future is likely to bring given that we are on a particular emissions pathway. But policy has the power to determine which emissions pathway we take. Embarking on a lower-emissions strategy will make a fundamental difference in how much and how quickly land ice disappears. U.S. leadership on mitigating greenhouse gas emissions within our lifetimes will reverberate to positively impact the world for millennia.

Thank you for giving attention to this important topic. You have the power to make a difference between a manageable future and a painful one. I look forward to supporting you with complete and accurate science and to answering your questions.

[The prepared statement of Dr. Moon follows:]

Rapid Land Ice Loss and Impacts for the United States

Statement of

Twila A. Moon
Research Scientist, National Snow and Ice Data Center
Cooperative Institute for Research in Environmental Sciences
University of Colorado, Boulder

before the

Committee on Science, Space, and Technology
U.S. House of Representatives

for the hearing

Earth's Thermometers: Glacial and Ice Sheet Melt in a Changing Climate
11 July 2019

Chairwoman Johnson, Ranking Member Lucas, and members of the House Committee on Science, Space, and Technology, thank you for the opportunity to testify on the critical issue of rapid global land ice loss, its implications, and the challenges and opportunities for moving forward. I am heartened to see the Committee taking up this topic; sea-level rise and other impacts from land ice loss have serious consequences within the United States and across the globe. I am honored to inform the Committee's knowledge and actions via my testimony. I am a Research Scientist at the National Snow and Ice Data Center[1] and the Cooperative Institute for Research in Environmental Sciences[2] at the University of Colorado Boulder, and my testimony today is as an expert in glacier and ice sheet science. My comments represent the views of a scientific expert, not those of the University of Colorado. Since I aim to synthesize science information within this testimony, I have provided academic paper references sparingly, but would be happy to provide additional resources on any topics or statements contained herein.

[1] For more information: nsidc.org
[2] For more information: cires.colorado.edu

The Importance of Land Ice

The title of this hearing refers to glaciers and ice sheets - Earth's land ice - as "Earth's thermometers". It may be more accurate to describe glaciers and ice sheets as Earth's water towers. Only 2.5% of the world's water is fresh water, and more than two thirds of this fresh water is contained in glaciers and ice sheets[3]. These global water towers are critical as sources of fresh water and as long-term reservoirs to store it.

Glaciers around the world provide water for drinking, irrigation, energy, and other uses. And because warm, sunny weather produces ice melt, glacier-produced fresh water is often an abundant and vital resource during dry seasons or drought. For example, roughly 800 million people in Asia, including less geopolitically stable regions across Pakistan, Afghanistan, and India, depend on glacier melt as an important water source. During average years, land ice in the region provides a small percentage of the total water supply, but in drought years glacier melt becomes much more important, sustaining millions of people and the economies they depend on. Glacier melt is important in the production of hydropower as well[4]. However, ice in the region is melting more quickly than it is being resupplied. This provides a temporary bump in water availability, but future water and energy shortages due to glacier loss are certain.

As a source of cold water, **glacier melt helps to regulate stream temperatures and sustain ecosystems.** In Glacier National Park, for example, glacier melt is critical to maintaining the cooler water temperatures needed for native fish species and for a cascade of invertebrates that form a fundamental portion of the food web[5]. Glacier melt also helps to cycle nutrients by eroding rocks and transporting sediments underneath the ice to river and ocean ecosystems. In Alaska, the roughly $1B salmon fishing industry depends strongly on the nutrients and water properties of the Alaska Coastal Current. Roughly half of the Alaska Coastal Current waters come from seasonal glacier melt[6]. But Alaska is losing ice; the region is the second largest contributor to ice loss in the Arctic (after Greenland). Ongoing ice loss is likely to affect the future of the salmon industry and the many people who depend on it.

---

[3] Shiklomanov, I. (1993), World fresh water resources in 'Water in crisis: A guide to the world's fresh water resources'. P. H. Gleick (ed.).
[4] Case study details: Pritchard, H. D. (2019), Asia's shrinking glaciers protect large populations from drought stress, *Nature*, 1–20, doi:10.1038/s41586-019-1240-1.
[5] For example: Clark, A. M., J. T. Harper, and D. B. Fagre (2018), Glacier-Derived August Runoff in Northwest Montana, *Arct Antarct Alp Res*, *47*(1), 1–16, doi:10.1657/AAAR0014-033.
[6] Case study details: O'Neel, S. et al. (2015), Icefield-to-Ocean Linkages across the Northern Pacific Coastal Temperate Rainforest Ecosystem, *BioScience*, *65*(5), 499–512, doi:10.1093/biosci/biv027. For a more accessible summary: https://alaska.usgs.gov/products/pubs/2014/2014_Timm_ONeel_etal_ACCC_website_factsheet.pdf

As the world's water towers, **Earth's glaciers and ice sheets protect our coastal communities and economies from a myriad of impacts related to sea-level rise,** including coastal erosion, frequent or permanent flooding, saltwater inundation of fresh water resources, disruption of storm water systems, and destruction of infrastructure including schools, homes, superfund sites, and military bases[7]. These impacts are already evident within the U.S. and across the globe as a result of almost 3 inches of sea-level rise during the last 25 years. But additional sea-level rise from ice contained within Greenland, Antarctica, and the rest of the Earth's glaciers will likely dwarf what is currently being experienced. Midrange projections for additional sea level rise by 2100 are 17 inches for IPCC RCP2.6, 21 inches for RCP4.5, and 29 inches for RCP8.5, often referred to as the 'business as usual' pathway[8]. Note that these projections do not include mechanisms for potential accelerated ice loss that scientists are currently studying, particularly in Antarctica.

Recent & Rapid Global Land Ice Loss

Creation of the world-leading American economy has come about, in part, because of a stable and reliable climate. Development has depended upon and reinforced well defined coastal margins, allowing 39% of the U.S. population to thrive and prosper in shoreline counties[9], driving the U.S. economy and providing stable locations to base infrastructure for shipping, military activities, and other functions vital in today's connected global economy. This stability, however, is at risk. Since 1993, global average sea-level has already risen almost 3 inches. But sea-level rise is not evenly distributed around the world, and some communities have already experienced much higher sea-level rise than the global average. In the United States, for example, coastal erosion related to sea-level rise is displacing communities in Louisiana, drinking water problems are affecting California, and there is increased regular flooding across the Gulf Coast and Eastern Seaboard[10] (Figure 1). Similar impacts are being felt around the world.

---

[7] For more information on the military and sea level rise: climateandsecurity.org/militaryexpertpanel
[8] IPCC (2013), *Climate Change 2013: The Physical Science Basis. Contribution of Working Group I to the Fifth Assessment Report of the Intergovernmental Panel on Climate Change*, edited by T. F. Stocker, D. Qin, G.-K. Plattner, M. Tignor, S. K. Allen, J. Boschung, A. Nauels, Y. Xia, V. Bex, and P. M. Midgley, Cambridge University Press, Cambridge, United Kingdom and New York, NY, USA. p. 1180.
[9] oceanservice.noaa.gov/facts/population.html
[10] Moon, T. A. et al. (2019), The expanding footprint of rapid Arctic change, *Earth's Future*, 1–13, doi:10.1029/2018EF001088. And references therein.



Figure 1. Flooding and storm damage in the US are connected to rapid global land ice loss. a) South Ponte Vedra Beach, Florida, b) Black Creek area near Jacksonville, Florida, c) Charleston, South Carolina, and d) Lumberton, North Carolina. Images: James Balog/Earth Vision Institute[11].

As noted, sea-level rise is not the same everywhere. Local sea-level rise is due to the combined effects of local vertical land motion (some land is sinking, some is rising, depending on various factors), ocean and atmospheric currents moving ocean waters towards or away from the coast, additions of groundwater to the ocean, expansion of ocean water as it warms, and added ocean water due to loss of land ice. Today, the largest of these contributors is land ice loss. **Land ice loss has increased quickly and across the globe since the mid-20th Century, with contemporary rates - and extents - of ice loss unprecedented over human history.**

Satellites are a critical tool for studying contemporary changes in ice mass. The NASA GRACE and GRACE-FO (Gravity Recovery and Climate Experiment / -Follow On) satellites directly measure ice loss via changes created in the gravitational pull of the ice mass. The NASA ICESat and ICESat-2 (Ice, Cloud, and land Elevation) satellites use lasers reflected off

[11] Figure from: Moon, T. A. et al. (2019), The expanding footprint of rapid Arctic change, Earth's Future, 1–13, doi:10.1029/2018EF001088.

of the Earth surface to measure the changing surface elevations of glaciers and ice sheets. Data from the joint NASA/USGS Landsat satellites are used to measure the surface speed of land ice and to map its extent. Combining these speed measurements with observed and modeled precipitation and ice thickness information tells us how much snow is accumulated on an ice sheet versus how much ice is lost from melting and calving of icebergs into the ocean. Subtracting the losses from the gains tells us how much the ice mass has changed. These techniques alone provide three methods to independently and accurately measure ice mass changes, and results from these techniques give a consistent picture of rapid worldwide ice loss that has accelerated. This conclusion is supported by other data, including long-term measurements of glacier advance/retreat from committed individuals and institutions, examination of historical photographs, and deduction from geologic records. **It is now unequivocal that contemporary ice loss is a direct result of warming air and warming ocean water due to human-caused climate change.**

Role of the Greenland Ice Sheet

The Greenland Ice Sheet reaches more than two miles thick at its center, with hundreds of fast-moving outlet glaciers along its edges, which act as conveyor belts to move ice from the ice sheet interior to the ocean. Smaller glaciers and ice caps (regions of land ice that are smaller than ice sheets, but include areas of ice connecting multiple glaciers) began losing ice due to climate change earlier in the 20th century. The Greenland Ice Sheet was mostly in balance through the 1980s, but that changed in the late 1990s[12]. **During the 21st century, Greenland has lost ice at an increasing tempo.**

Greenland ice is being lost through melt on the ice sheet surface and where the ice contacts the oceans along its edge. Ice is also being lost as large and small icebergs that break or 'calve', into the ocean. While glaciers and ice caps continued to add the most water to the world's ocean up into the early 21st century, ice loss from the Greenland Ice Sheet has recently reached the same level[13], with a reservoir of potential future sea-level rise that eclipses small glaciers and ice caps.

There are several indicators of current rapid ice loss in Greenland. Widespread surface thinning and ice edge retreat is observed around the entire ice sheet, exposing new land and ocean water. While personally conducting ship-based field work in northwestern Greenland in August 2018, our ship's navigation map did not show the elevation of the ocean seafloor underneath us. Instead, because the ice sheet edge in the area had retreated

[12] For example: Mouginot, J. et al. (2019), Forty-six years of Greenland Ice Sheet mass balance from 1972 to 2018, *Proc National Acad Sciences*, doi:10.7280/D1MM37.
[13] For example: Chen, X., X. Zhang, J. A. Church, C. S. Watson, M. A. King, D. Monselesan, B. Legresy, and C. Harig (2017), The increasing rate of global mean sea-level rise during 1993–2014, *Nature Climate change*, *7*(7), 492–495, doi:10.1038/nclimate3325.

almost two miles since 2000, the map showed our ship ostensibly motoring across the ice sheet itself (Figure 2)! Individual glacier retreat of multiple miles since 2000 is common[14]. Along with adding ice to the ocean, the speed at which the Greenland coastal environment is changing may increase risk across valuable industries like tourism and resource extraction.



Figure 2. Rapid retreat of the Greenland ice sheet edge is changing coastal boundaries in Greenland, and in the U.S., where ice loss creates rising sea levels. Here, a science research ship appears to be traveling across the ice sheet itself because navigational maps are not keeping pace with the fast ice edge retreat. Image: Twila Moon.

The rapid ice loss in Greenland is akin to the rapid melt you see in your kitchen when placing an ice cube in a water glass or leaving it lying on the countertop. The ice sheet is melting in response to warming air temperatures and ocean temperatures that are warming at depths that matter to ice (~600-1300 ft). This handy analogy has its limits, however, as the Greenland Ice Sheet system is much more complex than a household block of ice and understanding its behavior requires continued research:

- Depending on the season, the ice sheet surface is a mix of bright, reflective new snow; darker and often dirty bare glacier ice; and very dark melt lakes fed by an extensive system of surface streams. The character of the surface, which changes from region to

[14] The NASA MEaSUREs (Making Earth System Data Records for Use in Research Environments) Program provides valuable data on a variety of Greenland Ice Sheet metrics, including glacier advance/retreat. To overview NASA MEaSUREs data at NSIDC: nsidc.org/data/measures.

region and over time, helps to determine how much energy, or heat, the ice sheet absorbs to melt more ice or reflects, minimizing the amount of melt. Research indicates that there will be more surface melt in the future, but also that year-to-year variations in the quantity of surface melt will span a wider range than in the past. How will the ice sheet surface transform in coming decades and respond to year-to-year variations in weather?

- Underneath the ice sheet is an entire landscape of mountains and valleys. In some areas, the ice sheet is frozen to the land, or 'bed', beneath it while in other areas liquid water and water-saturated sediments sit between the ice and the bed, affecting how easily the ice moves (or slides) over the land. Understanding the properties, and even the shape, of the bed beneath the ice is challenging, but it also vital to determining how the ice sheet moves. Will small topographic features not yet mapped speed up or slow down ice loss? How will the ice sheet be affected by increasingly large amounts of meltwater flowing underneath it?

- Some areas of the ice sheet edge end on land, but there are also hundreds of fast-moving outlet glaciers that connect directly to the ocean, each with their own unique width, depth, slope, and flow path. These areas where the ice sheet interacts with the ocean are hot spots for activity. This is where melt water discharges from underneath the glaciers into the ocean, warm ocean water at depth contacts the glacier edge, and icebergs calve into the ocean. The ocean plays a substantial role in determining how quickly an outlet glacier can retreat and flush its ice into the sea. If the elevation of the land underneath the glacier drops further inland, retreat can create a cascade of glacier speedup and thinning that drives further retreat and may create a self-reinforcing cycle of ice loss. How will interactions between the ice sheet and ocean change the speed of ice loss? How will the fresh water from Greenland transform the ocean water, ocean currents, and marine ecosystems?

Ice loss from Greenland has impacts in addition to sea-level rise. The additional fresh water added to the ocean is changing the temperature and salinity of ocean water, and also changing the quantity and cycling of important nutrients[15]. It is likely that these changes are influencing plants and animals around Greenland, including in areas supporting important commercial fisheries. The fresher and colder water that Greenland adds to the ocean may also alter ocean currents in the North Atlantic region. North Atlantic ocean currents help to determine the climate in North America and Europe, and research is

[15] For example: Cape, M. R., F. Straneo, N. Beaird, R. M. Bundy, and M. A. Charette (2018), Nutrient release to oceans from buoyancy-driven upwelling at Greenland tidewater glaciers, *Nat Geosci*, 1–8, doi:10.1038/s41561-018-0268-4.

ongoing to understand how quickly and to what degree ocean currents may change, and the contributing role of Greenland Ice Sheet melt.

The past two decades have seen strong advances in characterizing, understanding, and predicting changes in the Greenland Ice Sheet. In the early 1990s our knowledge of the large ice sheets was so limited that the science community did not consider them major players in climate change on decadal timescales. Today, we understand that ice sheets are major players, and changes in Greenland are already impacting U.S. communities and the U.S. economy. The Greenland Ice Sheet, however, remains a remote and difficult place to study, and **increasing our ability to predict what will happen to the Greenland Ice Sheet in coming decades will require investment in observing systems, understanding the physical processes controlling ice sheet change, and building scientific capacity and stakeholder connections**.

Understanding the Future of Greenland Ice Loss: The Powerful Impact of Science

Satellite observations in the early 2000s revealed that the Greenland Ice Sheet was starting to respond to climate change. Since then, the science community has made substantial progress in understanding the behavior of the ice sheet and projecting future changes, and watched as the initial changes became much larger and more rapid. The United States has played a key role in these advances, supported via investment in satellites, in airplane- and ship-based surveys, and through advances in modeling. It is clear that while there may be short-term (less than a decade) departures from the current trend of ice loss, there is no expectation of a long-term (multi-decadal) re-stabilization under current greenhouse gas emission rates.

There remains, however, a large range in projections of ice loss for each individual future greenhouse gas emissions scenario. This spread is due to remaining limitations in our knowledge of ice sheet physics and ice sheet - atmosphere - ocean interaction, and the challenges in fully incorporating newly discovered physical relationships into computer models. The spread in the range of projected ice loss adds to the difficulty in pinpointing how much sea-level rise our nation, states, counties, and cities should plan for when making infrastructure investments. Knowing when two feet of sea-level rise will arrive to the Texas coast, for example, is essential for planning for improvements to coastal infrastructure or adjusting regional flood mitigation and planning.

Glaciology (the study of land ice) and the general study of the Earth's cryosphere (all things frozen) is a fairly young field of research, given the historic difficulty of access and vast expanse of land ice. Current resources support only a limited number of cryosphere

scientists. Yet, we are trying to understand one of the most rapidly changing elements on our planet. The Arctic is warming at more than double the speed of the global average.

Additional glaciological research is necessary if we want to narrow the range of future ice loss projections and inform our Nation and the world about the ramifications for sea-level rise, water resources, etc., and the associated implications for decision-making. **The United States can lead this effort via focused support to: 1) conduct research in challenging locations and understand the physics of Earth's land ice and its interactions with the atmosphere and ocean, 2) foster iterative research to connect observations and computer models to improve projections, and 3) create new and stronger connections across scientific disciplines and with decision makers through knowledge co-production so that science can advance quickly while serving societal needs.**

Critical Research in Challenging Locations

Harsh weather, remote locations, large distances, and sparse infrastructure conspire to make studying Earth's land ice challenging and expensive. Yet understanding how massive ice sheets behave and how physical processes work at critical interfaces, like the ice-ocean boundary, is imperative for improving our projections of ice loss and impacts such as sea-level rise. Strengthening funding for focused research on processes controlling ice sheet behavior is vital. Through these efforts, we will increase understanding of ice-ocean interactions, ice sheet surface properties, ice sheet hydrology, and iceberg calving to improve future projections. Increased support must also include developing long-term observations that span all seasons over multiple decades. The U.S. Arctic Observing Network (AON) and international Sustaining Arctic Observing Networks (SAON) can play a valuable role in supporting these observations for the Arctic.

Connecting Current Observations to Future Projections

Observations fundamentally inform scientific knowledge about land ice processes, and are critical in developing better computer models to help scientists explore the causes of observed changes and project what will happen in coming decades. Not all physics can be seamlessly included in computer models - some processes must be captured using approximations, or 'parameterizations', that are created through observational analysis. Consistent and efficient information sharing between modeling research and observational research can fast track scientific understanding. Achieving this will require sustained support and encouragement of science that integrates these tools.

Building Collaborations to Lead

Understanding the expansive and compounding impacts of land ice loss require that new collaborations be created across different research disciplines, including areas of Earth science, social sciences, and infrastructure and economy research. Old approaches that silo science within specific disciplines must be broken down. The United States has the opportunity to be a world leader in creating strong collaborations between scientists and stakeholders. These collaborations need to be fostered via long-term, repeat interactions so that the United States can form and champion co-production of scientific knowledge and rapid information sharing. This should include support to coordinate science and stakeholder communities so that decisions at all levels are informed by the most robust science, not simply the latest headline. U.S. federal action that can support these advances by increasing coordinated opportunities for interagency funding and actively funding activities that integrate scientists with decision makers and planners. In my experience, the most effective stakeholder-scientist partnerships fully support participation across all groups.

International collaboration is also key. Land ice loss and sea-level rise are not just U.S. concerns, and coordinating research in the U.S. with international efforts will serve all. The current 17-nation MOSAiC mission[16] and the U.S. - U.K. International Thwaites Glacier Collaboration[17] are excellent examples. Research in Greenland is also enhanced via strong partnerships with Greenland, Denmark, and other Arctic nations.

Creating the Future of Greenland Ice Loss: The Powerful Impact of Mitigation

The impacts of land ice loss are visible today. More difficult to comprehend is that our climate has not caught up with the greenhouse gases that have already been added to the atmosphere, meaning that more warming and additional ice loss is certain. Continued sea-level rise over the next several decades is guaranteed and will require **adaptation**. If greenhouse gas emissions are reduced, however, sea-level rise can still be kept to a more manageable level.

The full power of **mitigation** to create the future of Earth's land ice is underappreciated. U.S. leaders, including Congress, must think beyond the short time scales of politics and realize the power they now hold for fundamentally creating the world our grandchildren and their grandchildren will live in. Recent research using a cutting-edge Greenland Ice Sheet computer simulation tells us that choosing to lead the world on a path of reduced

[16] For more information: mosaic-expedition.org
[17] For more information: thwaitesglacier.org

greenhouse gas emissions can be the difference between maintaining most of the Greenland Ice Sheet or allowing it to melt completely within the next 1000 years (Figure 3).



Figure 3. Computer simulations show that greenhouse gas emissions pathways followed this century will result in dramatically different Greenland Ice Sheet extents in the year 3000. All ice lost will contribute to global sea-level rise[18].

Let me be clear here about how I see the various roles of science, policy, and leadership. Increasing scientific knowledge is essential to more accurately project what the future is likely to bring given that we are on a particular greenhouse gas emissions pathway. But policy has the power to determine which emissions pathway we take. **Embarking on a lower emissions strategy will make a fundamental difference in how quickly - and how much - land ice disappears. U.S. leadership on mitigation within our lifetimes will reverberate to positively impact the world for millennia.**

Communicating Scientific Urgency

Challenges remain for communicating with decision makers, planners, and the public about land ice loss and its impacts, including within the United States. The language of science can be difficult to understand. For example, the public meaning of 'positive feedback' is a good response or praise, while the scientific meaning of 'positive feedback' is a self-reinforcing cycle, often with negative consequences[19]. This disconnect is a problem. Fostering

[18] Figure modified from: Aschwanden, A., M. A. Fahnestock, M. Truffer, D. J. Brinkerhoff, R. Hock, C. Khroulev, R. Mottram, and S. A. Khan (2019), Contribution of the Greenland Ice Sheet to sea level over the next millennium, Sci Adv, 5(6), eaav9396, doi:10.1126/sciadv.aav9396.
[19] Somerville and Hassol, Physics Today 64, 10, 48 (2011); https://doi.org/10.1063/PT.3.1296.

interactive and sustained connections between scientists and decision making and public communities via intentional funding can create a shared language for clear understanding and informed planning.

It is also critical that decision makers and the public understand that land ice loss at the poles has strong impacts on low and mid-latitude countries, including the United States. These impacts are also not confined to coastal regions. For example, if limited federal resources are being used to respond to sea-level rise in coastal states, interior states may find it more difficult to secure resources to address other priorities or emergencies.

That mitigation today will not immediately stop ice loss and sea-level rise poses a challenge for maintaining momentum on mitigation while at the same time adapting to change. But strong mitigation today can reduce the worst outcomes of ice loss. America can not only lead the world in understanding and projecting ice loss. America can also lead on actually determining the future of land ice and the extent to which ice loss will impact our citizens and the citizens of the world.

Thank you for the opportunity to testify today on glacier and ice sheet melt in Greenland and across the globe. I look forward to answering your questions and ensuring that the Committee has the most complete and up-to-date information on the science of ice loss and its impacts in the United States and around the world.

Dr. Twila A. Moon

Research Scientist, National Snow and Ice Data Center
Cooperative Institute for Research in Environmental Sciences
University of Colorado Boulder

Dr. Twila Moon is a Research Scientist at the National Snow and Ice Data Center, part of the University of Colorado Boulder's Cooperative Institute for Research in Environmental Sciences, a world leader in Earth science. Dr. Moon's expertise is in contemporary changes in glaciers and ice sheets, and the connections among ice, climate, ocean, and ecosystem. While she has conducted work across the globe, her primary focus is on the Greenland Ice Sheet and the Arctic.

Dr. Moon's research uses tools ranging from satellite remote sensing to field work to computer simulations. Her work has been published in high-impact journals such as *Science* and *Nature*, and received extensive media coverage; for example, National Public Radio, the Associated Press, and the BBC. She is an accomplished science communicator and is leading efforts to improve science and knowledge co-production between scientists and stakeholders.

Dr. Moon has a BS in Geological and Environmental Sciences (Stanford) and an MS and PhD in Earth and Space Sciences (University of Washington). Subsequently, she was a U.S. National Science Foundation Postdoctoral Fellow (Ocean Sciences Division) at the University of Oregon and a Cooperative Institute for Research in Environmental Sciences Postdoctoral Fellow at the University of Colorado Boulder. She then held the position of Lecturer in Cryospheric Sciences at the University of Bristol, England, before returning to the National Snow and Ice Data Center. She serves on the Acting Committee for the Greenland Ice Sheet - Ocean Interactions Science Network. Additional information on her work is available at www.changingice.com.

Chairwoman JOHNSON. Thank you very much. Dr. Wolken.

TESTIMONY OF DR. GABRIEL J. WOLKEN, RESEARCH SCIENTIST AND MANAGER, CLIMATE AND CRYOSPHERE HAZARDS PROGRAM, DIVISION OF GEOLOGICAL & GEOPHYSICAL SURVEYS, ALASKA DEPARTMENT OF NATURAL RESOURCES

Dr. WOLKEN. Good morning. Chairwoman Johnson, Ranking Member Lucas, staff, and Members of the Committee on Science, Space, and Technology, thank you very much for the invitation to come speak to you today.

As a citizen, I'm very pleased to be here. And I congratulate you on selecting this topic to consider. As a scientist, it means very much to me to be here to speak to you about evidence-based decision making, the data that we have to talk to you about today on glaciers and ice sheet change.

I live in Alaska, and Alaskans are very in touch with their surroundings. The cryosphere is that place on Earth where water is in its solid form, so snow, ice, and permafrost. Recently, while doing some fieldwork near Valdez, Alaska, it looks much like what you're seeing today. And so Valdez is in a fjord. It used to be covered by ice. Now the ice is melting quickly.

Upon completing a bathymetric survey or mapping the lake surface below the water near Valdez glacier, we were at the shoreline and reviewing our data and very happy about what we discovered because now we can start to find out how much water in the lake has contributed to the melting of the glacier that terminates into it. A woman and her dog named Elvis, a slobbering basset hound, came up to us and she says, what are you doing? And I said, well, we're trying to find out how deep the lake is. She said it's 600 feet deep. We looked at each other and said you're absolutely right. We just used $25,000 in equipment to figure that out. What did you do? She and her friend went out in a canoe and lowered a rope. And they discovered that the rope wasn't long enough, so they paddled back to shore and grabbed the rope. And then they tied the extra rope onto it, lowered it down, and they discovered that it was 600 feet deep near the glacier.

Now, she is a Valdez resident for 30 years. She said this glacier is melting faster than anything I've seen in the area. Where does all this water go? Well, the answer to that is in the oceans. And so Alaskans are keenly aware of their environment. They're keenly aware of the changes.

This same woman lives in an area where outburst floods impact her house every single year. The glacier releases tremendous amounts of water, rips out the dike, challenges the bridge, and gives them an opportunity to see the power of change. So the cryosphere is changing in Alaska, and glaciers are a part of that. It's very important for us to understand what is happening.

In Alaska we have a very large State. It's one-fifth the size of the rest of the United States. It's huge. We have thousands and thousands of glaciers. We know changes physically on three of those glaciers. We have mass balance data that began back in 1966. And

with those data, we are able to understand how glacier change is happening over long-term. That is incredibly valuable to us.

So most of the information that we have today is built on the shoulders of giants and the data that they were able to start collecting a long time ago. It's important that we start that process now. So collecting data now in various places in state means that we can evaluate and quantify the amount of change that we have between now and whenever we're worried about the change. We do this so that we can build better computer simulations so that we can plan.

As policymakers and decisionmakers, it is imperative to have the right scientific information, and we cannot provide that without the money, without the funding, without the students, without the resources to be able to provide the information that is necessary for local stakeholders such as the woman in Valdez and her dog, as well as important federally mandated decisions that have to be made in this country. So evidence-based decisionmaking is what we are after in order to have sound change and be able to communicate to the local residents such as those in Valdez and Alaska so that we can actually start planning for some of these changes. Thank you.

[The prepared statement of Dr. Wolken follows:]

The Impacts of Glacier Change in Alaska

Testimony of

Gabriel J. Wolken
Alaska Division of Geological & Geophysical Surveys
and University of Alaska Fairbanks

For the hearing entitled

Earth's Thermometers:
Glacial and Ice Sheet Melt in a Changing Climate

Before the

Committee on Science, Space, and Technology
United States House of Representatives
Room 2318, Rayburn House Office Building

11 July 2019

Chairwoman Johnson, Ranking Member Lucas, and members of the House Committee on Science, Space, and Technology, thank you for the opportunity to testify on the critical issue of glacier and ice sheet melt. As a citizen of this country I am grateful that you consider this issue relevant and important, and as a scientist I am honored to be in a position to provide evidence-based testimony to the committee to aid your decision making process. I am a Research Scientist and Manager of the Climate and Cryosphere Hazards Program at the Alaska Division of Geological & Geophysical Surveys and a Research Assistant Professor in the International Arctic Research Center at the University of Alaska Fairbanks. I provide testimony today as an expert in glacier science. My comments represent my views as a scientist and private citizen, and not those of the State of Alaska or the University of Alaska Fairbanks.

Key Points

- Glaciers in Alaska are in steep decline, and are among the fastest-melting glaciers on Earth.
- The rapid melting of glaciers in Alaska is a result of rising air temperatures associated with global climate change.
- Glaciers in Alaska are projected to continue to melt and contribute to global sea level rise.
- Hazards associated with rapid glacier retreat are impacting infrastructure, and threatening public safety and resource security.
- Narrowing the range in mass loss estimates requires a comprehensive and coordinated multi-agency collaboration, and a sustained long-term funding structure.

Glaciers and Ice Sheets

A glacier is a large mound of ice, snow, water, rock and sediment that forms on land and flows outward or down slope under its own weight. A glacier forms when snow that has accumulated doesn't melt and is buried by subsequent years of snow with the same fate. As more snow is added to the mound over many years, the snow near the bottom gets compressed and forms ice. In Alaska, glaciers form in high-mountain areas where they are constrained by topography, and flow down to lower elevation.

Glaciers gain mass by snow accumulation and lose mass by surface melt and runoff, and by iceberg separation and submarine melting at the glacier-water interface. During periods of net accumulation, glaciers gain mass and advance, whereas during periods of net loss, glaciers melt and retreat. Similar to an accountant who balances the credits and debits from a bank account, we monitor mass gains and losses of a glacier over time by computing mass balance.

Ice sheets, located in Antarctica and Greenland are massive expanses of glacier ice and snow that span entire continents. These masses of ice subsume the terrain and flow outward from a high point. At their margins ice sheets becomes thinner, and interact with the terrain.

The Role and Significance of Glaciers and Ice Sheets

Glaciers and ice sheets are important components of the cryosphere, or the parts of earth where water is in its solid form. Glaciers and ice sheets cover about 10% of Earth's land area and represent the majority of perennial land ice. Land ice is distributed as glaciers across high-mountain regions all over the world, but the majority of this ice is concentrated near Earth's poles. There glaciers and ice sheets play a vital role as regulators of global weather and climate, reflecting radiation to cool the planet and providing long-term stability for atmospheric and oceanic currents.

Glaciers and ice sheets are also an integral part of the hydrologic cycle. Together with other forms of perennial land ice, they hold about 69% of the freshwater available on the planet. They temporarily store freshwater at high altitudes and latitudes, serving as frozen water reservoirs that help to maintain a relatively stable sea level and providing consistent water resources. Runoff from glaciers provides critical baseline flow during warm and dry periods when other water sources are unavailable. This runoff is crucial to billions of people around the world, particularly those in arid regions who rely on glacier-sourced streams for drinking water and irrigation for agriculture. Runoff from glaciers also regulates stream temperature and provides nutrients for plants, insects, fish, and other animals. Glacier runoff is also important for hydropower production in many parts of the world.

Observed Glacier Change

As ice melt outpaces snow accumulation worldwide, glacier change has become one of the most important and widely recognized indicators of climate change. Historically unprecedented mountain glacier recession has proceeded on a global scale for the last thirty years (Zemp *et al.*, 2015). In the Arctic, glaciers and ice caps have been experiencing increasingly negative cumulative mass balances since the early 1990s (Wolken *et al.*, 2017), and are a leading contributor to global sea level change despite their relatively small size compared to ice sheets in Antarctica and Greenland (Gardner *et al.*, 2011, 2013; Jacob *et al.*, 2012).

Over the last several decades, Alaska has warmed twice as fast as the rest of the United States. Glaciers in Alaska (including northwest Canada) represent about 22% of the Arctic land ice area and currently have one of the highest glacier melt rates in the world (Gardner *et al.*, 2013; Pfeffer *et al.*, 2014), with annual thinning rates that reach several meters (tens of feet) per year for some glaciers terminating near sea level (VanLooy *et al.*, 2006; Larsen *et al.*, 2007; Larsen *et al.*, 2015). This rapid and

sustained melting and retreat of glaciers in Alaska is expected to continue as a consequence of climate warming, as predicted unequivocally by all current climate models (IPCC AR5, 2013; Huss and Hock, 2018).

Impacts of Glacier and Cryosphere Change

The rapid and sustained melting of glaciers in Alaska has led to a disproportionately large contribution to global sea level rise. This trend is projected to continue with inevitable consequences including lowland flooding and the displacement of communities, increased coastal erosion, degradation of water quality and agricultural soils, and loss of ecological habitats among other effects already impacting communities in the United States and elsewhere around the world.

As high glacier mass loss rates continue, the storage capacity of snow and ice will diminish, which is projected to have significant impacts on future water resource availability, even in basins with low glacier coverage (Huss and Hock, 2018). As a result, river discharge volume and timing in seasonal river runoff will change, and the ability of glaciers to sustain this flow during warm and dry periods will be reduced or lost. In Alaska, this will continue to produce clear and profound impacts on marine and terrestrial ecosystems at multiple levels, negatively influencing key species, including salmon, as well as the billion dollar fishing industry on which people depend. These changes will also heavily impact hydropower production, tourism, socio-economics, and the livelihoods and lifestyles of many people.

Rapid changes in climate can have a major effect on the cryosphere system and cause an increase in hazards. This is because changes in climate can modify natural physical processes and increase the magnitude and frequency of certain cryosphere hazards (e.g., avalanches, floods, erosion, slope instability, glacier collapses, and glacier lake outburst floods), which if not properly addressed, may have a damaging effect on Alaska's communities and infrastructure, as well as on the security and livelihoods of Alaskans. Rapid glacier retreat in recent decades has caused new ice-dammed lakes to form in valleys that were formerly occupied by glaciers. These new lakes are inherently unstable, with many resulting in glacial lake outburst floods that threaten communities and damage infrastructure (as in Juneau and Valdez). Rising air temperature and an increase in melt for Alaska's glaciers has also caused slopes surrounding glaciers to become unstable, which has led to an increase in the magnitude and frequency of landslides, and placed Southeast Alaska at the epicenter of these catastrophic events (Coe et al., 2016).

Improving our Understanding of Future Glacier Melt

Fifty-three years ago, a small team of U.S. Geological Survey scientists ventured onto two glaciers in Alaska to collect data to help understand snowpack variations in high-mountain settings. Those measurements on the Gulkana and Wolverine glaciers became the first data entries into Alaska's Benchmark Glacier program,

which has provided some of the longest continuous data for evaluating the health of glaciers in Alaska. Although many advances in glacier monitoring have been made since the beginning of the Alaska Benchmark Glacier program, the observational data from this program are still used every year and represent one of the three important tools that support modern glacier science.

Modern glacier science relies on observations, remote sensing, and modeling to measure glacier melt and simulate future changes. In Alaska, the observational record is long, but there are only three long-term, continuous records of glacier mass balance for the entire state, which currently hosts many thousands of glaciers. Because of the lack of ground-based observational data, remote sensing and computer modeling are used to broaden the scope and extend estimates of glacier melt into the future based on a range of emission scenarios. But the current range in glacier ice loss projections can be narrowed. This can happen by increasing the number and distribution of long-term, ground-based observational data, increasing the quality and coverage of remote sensing products, and improving melt models and climate data products so that they more accurately represent the variables and processes involved in glacier melt and runoff in climatically diverse and topographically complex areas of the state. To achieve this, a comprehensive and coordinated multi-agency collaboration and a sustained long-term funding structure are required.

References

Coe JA, Bessette-Kirton EK, Geertsema M. 2018. Increasing rock-avalanche size and mobility in Glacier Bay National Park and Preserve, Alaska detected from 1984 to 2016 Landsat imagery. Landslides 15(3):393-407.

Gardner, A.S., Moholdt, G., Wouters, B., Wolken, G.J., Burgess, D.O., Sharp, M.J., Cogley, G.J., Braun, C., and Labine, C. 2011. Sharp acceleration of mass loss from Canadian Arctic Archipelago glaciers and ice caps. *Nature* 473, 357-360.

Gardner A.S., Moholdt, G., Cogley, J.G., Wouters, B., Arendt, A.A., Wahr, J., Berthier, E., Hock, R., Pfeffer, W.T., Kaser, G., Ligtenberg, S.R.M., Bolch ,T., Sharp, M.J., Hagen, J-O., van den Broeke, M.R. and Paul, F. 2013. A consensus estimate of glacier contributions to sea level rise: 2003 to 2009. *Science* 340, 852-857.

Huss, M and Hock, R. 2018. Global-scale hydrological response to future glacier mass loss. *Nat. Clim. Chang*, 8(2), 135–140 doi:10.1038/s41558-017-0049-x.

IPCC. 2013. Climate Change 2013: The Physical Science Basis. Contribution of Working Group I to the Fifth Assessment Report of the Intergovernmental Panel on Climate Change. Cambridge University Press, Cambridge, United Kingdom and New York, USA doi: 10.1017/CBO9781107415324.

Jacob, T., Wahr, J., Pfeffer, W.T., and Swenson, S. 2012. Recent contributions of glaciers and ice caps to sea level rise. *Nature* 482, 514–518.
Larsen, C.F., R.J. Motyka, A.A. Arendt, K.A. Echelmeyer and P.E. Geissler. 2007. Glacier changes in southeast Alaska and northwest British Columbia and contribution to sea level rise. *Journal of Geophysical Research: Earth Surface*. 112(F1), doi: 10.1029/2006JF000586.

Larsen, C., E. Burgess, A. Arendt, S. O'Neel, A. Johnson and C. Kienholz. 2015. Surface melt dominates Alaska glacier mass balance. *Geophysical Research Letters*. doi: 10.1002/2015GL064349.

Pfeffer, W. T., et al. 2014. The Randolph Glacier Inventory: a globally complete inventory of glaciers. Journal of Glaciology, 60 (221), 537-552.

VanLooy, J., R. Forster and A. Ford. 2006. Accelerating thinning of Kenai Peninsula glaciers, Alaska. *Geophysical Research Letters*. 33(21), doi: 10.1029/2006GL028060.

Wolken, G., M. Sharp, L. M. Andreassen, D. Burgess, J. G. Cogley, L. Copland, J. Kohler, S. O'Neel, M. Pelto, L. Thomson, and B. Wouters. 2017. Glaciers and ice caps outside Greenland (in "State of the Climate in 2016"). Bulletin of the American Meteorological Society 98 (8):140-143, doi:10.1175/2017BAMSStateoftheClimate.1.

Zemp, M., Frey, H., Gärtner-Roer, I., Nussbaumer, S., Hoelzle, M., Paul, F., . . . Vincent, C. (2015). Historically unprecedented global glacier decline in the early 21st century. *Journal of Glaciology, 61*(228), 745-762. doi:10.3189/2015JoG15J017.

Dr. Gabriel J. Wolken

Dr. Gabriel Wolken is a research scientist and manager of the Climate and Cryosphere Hazards Program at the Alaska Division of Geological & Geophysical Surveys, and a research assistant professor at the International Arctic Research Center, University of Alaska Fairbanks, where he is a senior scientist in the Climate Adaptation Science Center.

Dr. Wolken's expertise is in snow and glacier change, and the connection these changes have to climate and natural hazards. His research uses a combination of field-based observations, remote sensing, and computer modeling to monitor, simulate, and assess the impacts of snow and ice changes on communities, infrastructure, and resources. Through his affiliations, Dr. Wolken is dedicated to fostering scientific partnerships that increase the collection of critical data and improve the co-production of scientific products that lead to informed decision-making.

Dr. Wolken has a PhD in Earth and Atmospheric Sciences from the University of Alberta, an MA from the University of Wyoming, and a BSc from Creighton University. He was a Postdoctoral Fellow in the Arctic and Alpine Research Group and lecturer at the University of Alberta. Over the course of his career, he has authored numerous papers, reports and data products, and he is a regular contributor to governmental climate reports.

Chairwoman JOHNSON. Thank you very much. Dr. Pfeffer.

TESTIMONY OF DR. W. TAD PFEFFER, FELLOW, INSTITUTE OF ARCTIC AND ALPINE RESEARCH, UNIVERSITY OF COLORADO BOULDER

Dr. PFEFFER. Along with my colleagues, I'd like to thank you all, Chairwoman Johnson, Ranking Member Lucas, all the Members of the Committee and staff.

Like my colleagues, I was pleased, surprised, jumped at the opportunity to come and talk to you today about subjects that I've spent two-thirds of my life on. I've spent a long time living on glaciers and have had a good opportunity to see the changes and study them.

As Chair Johnson mentioned, I'm a glaciologist. I've done this for 40 years, and I've had opportunities to work in landscapes that have changed dramatically over time mostly in Alaska. I work mostly on the small glaciers of the world, the 200,000 glaciers other than the Greenland and Arctic ice sheets. And I want to talk mostly about them, and I really want to come back and focus on Alaska, which is one of the hotspots in the world both literally and figuratively in sea-level rise but also in fresh water flowing into the ocean, in fires and environmental change in the coastal regions.

These small glaciers matter for a wide variety of reasons, and I also want to try to concentrate today on the reasons that have direct ties to the United States. There are a number of global issues. Water resources, water availability from the Himalayas, for example, is going to be critical for Nepal, India, Pakistan, Bhutan, places like that. They also produce significant geo-hazards of landslides, flooding, what we call outburst flooding as glaciers retreat and leave behind very unstable steep slopes. When this happens in places like Nepal, these are very unstable landscapes in the same valleys where a lot of people live. It's one of the reasons that these hazards are as great as they are in the Himalayas. It's because we've got the mountains there, glaciers changing, and also people living in that landscape. That's one of the reasons that that's not quite so much of a problem in the United States because we are not obliged to live right next door to glaciers in most places, not at all.

They also have significant environmental impacts by changing the temperature of the waters the glaciers drain into and by changing the salinity of the water. One of the effects of Alaska that we don't understand particularly well yet but we know it's there is the fact that the ice sheet or the glacier runoff from Alaska that flows into the Gulf of Alaska and the Pacific, works its way up through a gap in the Aleutian Islands and enters the Arctic basin. And it turns out that that's quite a large chunk of the fresh water entering the Arctic basin, and that fresh water influences, among other things, the extent of sea ice in the Arctic.

We don't have a good handle on how much that flow is in part because we're not making comprehensive measurements of the water flow into the Gulf of Alaska from glaciers. As my colleague Dr. Wolken mentioned, we're not monitoring the glaciers in Alaska very well. We're not really keeping track of them. So while we can see that they're melting, we can measure their height change or we

could up until recently anyway, we don't have good observations of where the water is going. We don't have good gauges measuring that flow.

One of the last things that I want to come back to in my statement again, though, is sea-level rise. As Dr. Alley pointed out, the ice sheets contain virtually all of the fresh water that's locked up on land in ice. You take all the other glaciers, about 200,000 glaciers, you only get about a foot of sea-level rise out of them if you put them all into the ocean. But they're like a big bucket with a little tiny—sorry, they're like a small bucket with a big hole in it. That water is leaving the small reservoir very fast. In effect, if you look at the combined most recent measurements of where new water coming into the ocean is coming from, more than 50 percent of it is coming from these small glaciers, and the remaining smaller percentage is coming from the ice sheets.

Now, that's right now. That's in the short term. The longer term, the ice sheets are certainly going to take over. But in the short term—and this is a term, say, on the order of 30, 40, 50 years where decisionmakers, planners, policymakers really need to have the most robust information, and they need the greatest handle on uncertainties. We have to look at the entire picture, the ice sheets and the glaciers and all of their consequences of which sea-level rise is just one.

So I'll stop there for now and be happy to continue and answer your questions.

[The prepared statement of Dr. Pfeffer follows:]

Role of Global Glaciers in Environmental Change and Sea Level Rise

Testimony of

W. Tad Pfeffer

University of Colorado at Boulder

For the hearing entitled

Earth's Thermometers:

Glacial and Ice Sheet Melt in a Changing Climate

Before the

Committee on Science, Space and Technology

United States House of Representatives

Room 2318 of the Rayburn House Office Building

10:00 a.m., July 11, 2019

Personal Introduction and Background: My name is William Tad Pfeffer. I am a glaciologist employed by the University of Colorado at Boulder, where I am a Professor of Civil, Environmental, and Architectural Engineering, and a Fellow of the University's Institute of Arctic and Alpine Research (INSTAAR). I have been at UC Boulder for 31 years, and have been an active glaciological researcher for 40 years. My particular sphere of expertise is in the study of the world's "small" glaciers – meaning all of the world's ca. 200,000 glaciers exclusive of the two ice sheets covering Greenland and Antarctica. I have worked extensively in glaciological laboratory experiments, numerical modeling, and theoretical analysis, and have conducted hundreds of field expeditions over 35 years in the Continental USA, Alaska, Canadian Arctic, Svalbard, Greenland, Antarctica, the Himalayas, and Africa. I have published over 60 papers in the refereed scientific literature, including several seminal and highly-cited studies of glacier physics and of global glacier contributions to sea level rise. I served as a co-author of the 2012 National Research Council Report "Sea Level Rise for the Coasts of California, Washington, and Oregon: Past, Present, and Future." I was also a Lead Author for Chapter 13 (Sea Level Change) of the IPCC Fifth Assessment (AR5), Working Group 1, in 2013. Most recently, I have shifted my focus to science planning and policy and to the historical development of glaciological and sea level research. Starting in 2013, I was a founding editor of the Oxford University Press Handbook Series on Planning for Climate Change Hazards. I also served in 2015-16 as a National Academy of Sciences Jefferson Fellow; in this capacity, I worked at USAID in Washington DC as a senior science advisor in the Office of Energy and Infrastructure, Europe and Eurasia.

My testimony reflects my own views and scientific judgement, and does not represent the views or positions of any institution or agency, including the University of Colorado.

While the potential for rapid sea level rise from the earth's two major ice sheets tends to be the most visible sphere of snow and ice research, there are other issues of concern to glaciologists involving ice in its many forms at the earth's surface. Ranging from permafrost in Siberia and Alaska to seasonal river ice in New England, from seasonal snow in Colorado's ski country to glacier lake outburst floods (or "GLOFs") in the Himalayas, and from glacier-fed rivers in India to sea ice blocking shipping routes across the Canadian Northwest Passage, ice is a crucial element of our environment anywhere on earth where freezing occurs. As temperatures rise, melting ice mobilizes liquid water, weakens previously strong frozen materials, increases the permeability of thawing soils, speeds aqueous chemical reactions, and drives a multitude of other processes, all with the potential to dramatically alter our environment. In this testimony I will focus in problems directly involving glaciers (leaving aside some equally important issues involving permafrost, river ice, and sea ice) and briefly summarize a few of what I view as the most important outstanding environmental problems. I will also concentrate on those problems that affect the United States directly, or indirectly through economic and political reactions to environmental changes elsewhere in the world.

- **Seasonal snow and glacier runoff as a water resource**. Society everywhere in earth depends critically on freshwater for domestic use (cooking, cleaning, washing, etc.) as well as for agricultural irrigation, industrial use, and hydropower generation. All fresh water moving on the earth's surface starts as rain or snow, but that fraction falling at high elevations as snow will remain in place (either seasonally as snow or for many years as ice) until melting conditions at the surface allow the water to move downslope. Water stored in the mountains as snow and ice

acts as a reservoir, delaying the drainage of precipitation, which may arrive in very imbalanced "wet season/dry season" cycles, until later in the season. This benefits users of the water by spreading the downstream arrival of water throughout the year and storing water as glacier ice during wet years to be released during dry years. This is a critically important benefit for agriculture anywhere (including the Western US), but no more so than in the Indian Subcontinent, where very large populations depend upon crops irrigated by runoff from the Himalayas. Recent research (Maurer et al, 2019) indicates that the glaciers of the Himalayas are experiencing losses at rates that have doubled over the past 40 years. The economic and political effects of major seasonal water shortages in India and neighboring countries – a probable consequence of continued snowpack depletion and glacier losses – could be profound and global in its indirect consequences.

- **Glacier recession and geohazards**. People and infrastructure living in the immediate vicinity of glaciers are exposed to natural hazards including flooding, landslides, and rockfalls, all associated with slopes destabilized by the removal of glacier ice (Richardson and Reynolds, 2000) Such risks are global in extent but are particularly concentrated in parts of the world with high population densities in mountain regions, and specifically on the south side of the Himalayas (Bhutan, India, Nepal, Pakistan) and in the Andes on the west coast of South America (Harrison et al, 2018). These regions (along with virtually all of the earth's mountain regions) are subject to landslide and rockfall hazards, but glacier retreat dramatically magnifies these hazards. Advancing glaciers disaggregate rocks and soil at their base and margins and plow this material forward and the margins of the glacier, creating *moraines* that surround the glacier terminus and valley sides. When a glacier retreats, the moraines are left behind, and "proglacial" lakes frequently form in the enclosed depression formed between the retreating terminus and the inner side of the moraine wall. Moraines are intrinsically weak materials, being composed of an incohesive mixture of soil and rocks of many sizes; they also typically have very steep slopes. These factors all favor the incidence of slope failures and landslides, and when proglacial lakes are formed, additional hazards are created due to the easily eroded



Imja Tsho (or Imja Lake) in eastern Nepal, dammed by a terminal moraine complex. The lake has been growing rapidly since the 1960s as the Imja Glacier has retreated. Photo: Sharad Joshi, Wikimedia Commons. Edited by J.Bendle. Source: http://www.antarcticglaciers.org/glacier-processes/glacial-lakes/glacial-lake-outburst-floods/

Glacier runoff and ocean salinity. One of the most significant and rapidly changing effects of present day warming is the depletion of sea ice in the Arctic Ocean (r et al, 2018). The loss of sea ice in the arctic has profound implications on local and global scales, ranging from accelerated coastal erosion on Alaska's arctic coast to alterations of the planetary energy balance as the reflectivity of the Arctic Basin drops with increasing open water. The formation and maintenance of sea ice depends on the surface energy balance of the arctic and also on the salinity of Arctic Ocean water (McPhee et al, 1998). Water entering the Arctic Basin via Bering Strait (between Alaska and Siberia) is one of the primary sources of low-salinity sea water in the Arctic Ocean. The salinity of this Pacific sea water is influenced to a significant but poorly constrained degree by the Alaskan Current (Woodgate and Aagaard, 2005), which in turn carries fresh water draining into the Gulf of Alaska from the glaciers of Alaska's south coastal mountains (Chugach & St. Elias Ranges) and interior mountains (Alaska Range, Wrangell Mountains) northward and through Unimak Pass into the Bering Sea (see Figure). The retreat of Alaska's glaciers thus has an effect – probably significant but at this point not well known – and arctic sea ice. Glacier losses in the Canadian Arctic may have a similar influence (Dimitrenko et al, 2017). The influence of Alaska's glaciers on conditions in the Arctic is not well established in part because of the absence of any comprehensive program of observations of freshwater runoff to the Gulf of Alaska. This is one of many examples of the significance of Alaska's glaciers both locally and globally, and the need to invest in research in this area.



Alaskan coastal transport carrying glacier runoff from coastal mountains in the Bering Sea. Adapted from Weingartner et al (2005)

- **Glacier retreat and gravitational fingerprinting**. Like a magnet drawing metal filings around its edges, the large mass of glaciers and ice sheets on land (e.g. the Greenland and Antarctic ice

sheets and the glaciers in Alaska) exerts a gravitational pull that draws ocean water toward them. This creates a non-uniform sea level surface, with sea level slightly elevated adjacent to any large mass concentration and slightly lowered elsewhere. This distortion on the mean sea level surface is unique to each ice mass given its size and location, and is informally referred to as the gravitational "fingerprint" of that ice mass. When glaciers shrink, however, the magnitude of their gravitational pull decreases, and the combined result of the melting of any given ice mass is to raise the total amount of water in the ocean (raising global average sea level) and to reduce that particular "fingerprint" distortion of the sea level surface. The combined effect of the gravitational fingerprints from Alaska and, to a lesser extent, Greenland, causes relative sea level to fall all along the west coast of the United States, whereas melting from Antarctica causes a relative sea level rise. The net effect of losses from Alaska, Greenland, and Antarctica on the US west coast is reduce the local sea level rise relative to the global mean value by values ranging from ca. 40% in Washington State to ca. 15% in southern California (National Research

ıoth globally and locally.



Gravitational "Fingerprint" of a terrestrially-based ice mass: Globally averaged sea level increases as any terrestrially-based glacier shrinks, but *relative* sea level change is negative adjacent to the declining ice mass and positive away from it.

- **Rising sea level from the earth's 200,000-plus glaciers.** The total potential sea level rise from these glaciers is very small: only a bit more than 1 foot (Farinotti et al, 2018). However, the present-day *rate* of loss from the glaciers is as great as that coming from the ice sheets, and will in all likelihood continue to match the ice sheet losses for at least the next few decades, when near-term decision making requires the highest level of confidence in projections.
  Since the beginning of comprehensive global observations, virtually all glaciers on Earth have been in a state of mass loss, contributing 0.71 ± 0.08 mm yr^{-1} over the period 2003-2009 (Gardner et al., 2013) corresponding to 29±13% of the observed sea-level rise during that period. The most recent assessment of glacier losses (Zemp et al, 2019) finds a global total loss rate for the period 2006-2016 to be 0.92 ± 0.39 mm yr^{-1}. For context, the most recent ice sheet loss rate assessments show Antarctic contributing 0.50 ± 0.26 mm yr^{-1} (2008-2015) and Greenland contributing 0.77 ± 0.005 mm yr^{-1} (2007-12) and 0.53 ± 0.05 mm yr^{-1} (2012-2017).



Global glacier loss rate assessments, 1960 to the present. The most recent loss rates from the Greenland and Antarctic Ice Sheets are included for comparison.

Because of their large number and small size, assessments of all 200,000+ glaciers on earth has been difficult, and the calculated aggregate loss rate has varied significantly over time, partly due to limitations in observational methods and partly due to the fact that the rates change over time. Recent research programs have benefitted from rapid developments in remote sensing, including NASA's ICESat satellite (2003 – 2009), the NASA-GFZ GRACE gravity twin satellite mission (2002-2017). Further missions, including the GRACE Follow-On (GRACE-FO), launched in May of 2018, and ICESat-2, launched in September of 2018. These mission investments have aided global glacier assessments enormously and testify to NASA's commitment to earth science generally and glacier monitoring in particular. However, remote sensing methods cannot work alone to continue accurate and validated observations of glacier change, nor can they be used in isolation to solve the numerous outstanding problems faced by modelers seeking to project future glacier behavior. Integration of field and remote sensing observations with model simulations is necessary to accurately project future trends in glacier contribution to sea level. Conventional field observations of mass balance at "benchmark" glaciers, especially those in Alaska, should remain a high priority to ensure the continuity of long-term records, some of which extend back to the 1957-58 International Geophysical Year. Ground-truthing programs are particularly important for large glacierized regions with steep gradients in environmental conditions, where the distant view of an orbiting satellite becomes a liability. Field studies at these and other sites should be expanded to include detailed observations of surface and dynamic processes. Improved

parameterization of surface albedo, which controls the dominant term in the surface radiation budget, can be achieved through studies of snow and ice crystal grain sizes (Painter et al., 2009) and parameterization of the impacts of dust/black carbon (Flanner and Zender, 2006) and debris cover (Reznichenko et al., 2010) on surface melt rates. The conversion of volume to mass change in geodetic remote sensing assessments remains a large source of uncertainty (Huss, 2013) and can be informed through field measurements of near-surface densification rates. Glaciers that terminate in lakes or the ocean have the potential for rapid changes through poorly-understood calving mechanisms (Moholdt et al., 2012; Willis et al., 2012), requiring expanded observations of ice thickness, grounding line locations and lake/fiord conditions. Finally, field programs should include observations of stream discharge where possible since this provides valuable information on the integrated water balance of glacierized watersheds.

References:

Dmitrenko, I. A., Kirillov, S. A., Rudels, B., Babb, D. G., Pedersen, L. T., Rysgaard, S., ... & Barber, D. G. (2017). Arctic Ocean outflow and glacier–ocean interactions modify water over the Wandel Sea shelf (northeastern Greenland). *Ocean Science*, *13*(6), 1045-1060.

Farinotti, D., Huss, M., Fürst, J. J., Landmann, J., Machguth, H., Maussion, F., & Pandit, A. (2019). A consensus estimate for the ice thickness distribution of all glaciers on Earth. *Nature Geoscience*, *12*(3), 168.

Flanner, M. G., & Zender, C. S. (2006). Linking snowpack microphysics and albedo evolution. *Journal of Geophysical Research: Atmospheres*, *111*(D12).
Gardiner et al, 2013

Harrison, S., Kargel, J. S., Huggel, C., Reynolds, J., Shugar, D. H., Betts, R. A., ... & Schaub, Y. (2018). Climate change and the global pattern of moraine-dammed glacial lake outburst floods. *The Cryosphere*, *12*.
Huss, 2013

Maurer et al, 2019 Maurer, J. M., Rupper, S. B., & Schaefer, J. M. (2016). Quantifying ice loss in the eastern Himalayas since 1974 using declassified spy satellite imagery. *The Cryosphere*, *10*(5), 2203-2215.

McPhee, M. G., Stanton, T. P., Morison, J. H., & Martinson, D. G. (1998). Freshening of the upper ocean in the Arctic: Is perennial sea ice disappearing?. *Geophysical Research Letters*, *25*(10), 1729-1732.

Moholdt, G., Heid, T., Benham, T., & Dowdeswell, J. A. (2012). Dynamic instability of marine-terminating glacier basins of Academy of Sciences Ice Cap, Russian High Arctic. *Annals of Glaciology*, *53*(60), 193-201.

Mueller, B. L., Gillett, N. P., Monahan, A. H., & Zwiers, F. W. (2018). Attribution of Arctic Sea Ice Decline from 1953 to 2012 to Influences from Natural, Greenhouse Gas, and Anthropogenic Aerosol Forcing. *Journal of Climate*, *31*(19), 7771-7787.
Painter et al., 2009

Richardson, S. D., & Reynolds, J. M. (2000). An overview of glacial hazards in the Himalayas. *Quaternary International*, *65*, 31-47.

Reznichenko, N., Davies, T., Shulmeister, J., & McSaveney, M. (2010). Effects of debris on ice-surface melting rates: an experimental study. *Journal of Glaciology*, *56*(197), 384-394.

Weingartner, T., Aagaard, K., Woodgate, R., Danielson, S., Sasaki, Y., & Cavalieri, D. (2005). Circulation on the north central Chukchi Sea shelf. *Deep Sea Research Part II: Topical Studies in Oceanography*, *52*(24-26), 3150-3174.

Willis, M. J., Melkonian, A. K., Pritchard, M. E., & Ramage, J. M. (2012). Ice loss rates at the Northern Patagonian Icefield derived using a decade of satellite remote sensing. *Remote Sensing of Environment*, *117*, 184-198.
Woodgate and Aagaard, 2005

Zemp, M., Huss, M., Thibert, E., Eckert, N., McNabb, R., Huber, J., ... & Thomson, L. (2019). Global glacier mass changes and their contributions to sea-level rise from 1961 to 2016. *Nature*, *568*(7752), 382.

Personal Introduction and Background: My name is William Tad Pfeffer. I am a glaciologist employed by the University of Colorado at Boulder, where I am a Professor of Civil, Environmental, and Architectural Engineering, and a Fellow of the University's Institute of Arctic and Alpine Research (INSTAAR). I have been at UC Boulder for 31 years, and have been an active glaciological researcher for 40 years. My particular sphere of expertise is in the study of the world's "small" glaciers – meaning all of the world's ca. 200,000 glaciers exclusive of the two ice sheets covering Greenland and Antarctica. I have worked extensively in glaciological laboratory experiments, numerical modeling, and theoretical analysis, and have conducted hundreds of field expeditions over 35 years in the Continental USA, Alaska, Canadian Arctic, Svalbard, Greenland, Antarctica, the Himalayas, and Africa. I have published over 60 papers in the refereed scientific literature, including several seminal and highly-cited studies of glacier physics and of global glacier contributions to sea level rise. I served as a co-author of the 2012 National Research Council Report "Sea Level Rise for the Coasts of California, Washington, and Oregon: Past, Present, and Future." I was also a Lead Author for Chapter 13 (Sea Level Change) of the IPCC Fifth Assessment (AR5), Working Group 1, in 2013. Most recently, I have shifted my focus to science planning and policy and to the historical development of glaciological and sea level research. Starting in 2013, I was a founding editor of the Oxford University Press Handbook Series on Planning for Climate Change Hazards. I also served in 2015-16 as a National Academy of Sciences Jefferson Fellow; in this capacity, I worked at USAID in Washington DC as a senior science advisor in the Office of Energy and Infrastructure, Europe and Eurasia.

Chairwoman JOHNSON. Thank you very much. That completes the testimony of our witnesses. We'll now begin our first round of questions. And I'll yield myself 5 minutes.

While a lot of progress has been made in understanding current trends in glacier and ice sheet melt rates and predicting future changes, uncertainties still exist and the potential for tipping points. Whether major parts of the Arctic or Greenland sheets will collapse and when and how much more sea levels will rise is yet still out there.

So for all of the witnesses, as top experts in the field, what are the most pressing needs in glacier research in order to address these uncertainties? And the second question, given the differing impacts of glacier and ice sheet melts on global sea-level rise, ocean temperature and salinity, nutrient cycling and ocean currents, fisheries, and even geopolitical tensions from diminishing drinking water supplies, how can multidisciplinary research approaches help address some of the outstanding questions? And we can start and just go down the panel.

Dr. ALLEY. OK. Thank you. You raise huge and important issues. You will hear several things as we go along here which are important. I'd like to highlight the people. The students who can really solve these problems have an amazing number of calls on them. They can go to business, they can go to finance, they can do all sorts of things. They have skills that are hugely in demand. We hope that a lot of them go to business and go to finance and do useful things out there, but we would love to have a few of the best students come to us. If those students look at our world and say there isn't funding, there isn't a reliable idea that you can make a career in telling the public what's going on, they all will go elsewhere. And we don't want all of them, but we would really like a few of them. And that means funding for studentships and that means some level of telling the student if you commit to 4 years as an undergrad and maybe 7 years as a graduate student and a bit of postdoc to become a world expert on this, we will support you in doing that. And it is people. And we need a few of them to help us do this.

Chairwoman JOHNSON. Thank you.

Dr. BELL. Well, I think there are three things. As I said before, one is fostering more research, and it's research across the agencies because the U.S. has really been leading in understanding how the ice sheets are changing. And it's becoming increasingly important that research, whether it's supported by NSF, NOAA, USGS (United States Geological Survey), NASA—DOE (Department of Energy) runs a lot of ice sheet models. We have to recognize this incredible resource we have to be at the cutting-edge of who's going to know and be able to provide the answers to communities around the globe.

I echo Richard's workforce question. We really do need to broaden the number of people working on this and not just glaciologists. We need engineers. We need computer scientists. We need to, you know, recognize that this is a significant national security, a national economic issue that requires all hands on deck.

And then the third one is really to foster what NSF is now calling convergent science, science where you really bring together peo-

ple from different disciplines focused on a problem so that we can address the problem. NSF just released a new priority—they're navigating the new Arctic where that was really the focus of how do we go in a changing arctic. Now getting back to Ranking Member Lucas' question, how do we have science that brings together the people who are going to look at those problems? And NSF is really trying to foster that collaborative problem solving. The word currently is convergent where you actually bring people from different disciplines who are looking to solve a problem, and that's what we absolutely must do, both within the U.S. and globally. This is a problem we cannot solve by ourselves.

Chairwoman JOHNSON. Thank you. Dr. Moon.

Dr. MOON. Yes. I'd like to emphasize first better understanding the glaciers and ice sheets themselves, the physical processes that we don't yet know. We've never been able to watch ice sheets collapse, so we can't look back into the record. And that requires going there, observing the systems, and doing process studies and then integrating that information with computer simulations.

The second thing is that any projects within this need to be coordinated with other countries. Sea-level rise, ice sheet, glacier melt are international issues, and we need international teams working on them. And anything you can do to help facilitate international collaboration I think is excellent.

And finally, your question regarding connecting different disciplines, this is a very difficult thing to do. Disciplines have been separated for decades. It's the way many elements of our academic system and our research system are built, and it requires long-term investment and an understanding that we have to create those relationships because we're taking our information about glaciers and ice sheets and we're recognizing that they're part of a connected Earth system that includes people, as well as plants, animals, and other physical components.

Chairwoman JOHNSON. Thank you.

Dr. WOLKEN. I will echo the comments of my colleagues in that we do need people. It's critical that we have people on these issues. I'll share with you just an example from one of my other projects looking at snow distribution on glaciers in alpine areas. We've gone through three people now in a critical data science position because they can make more money elsewhere. And so it's really hard to retain the people once we have them, and it's really challenging to actually recruit these people.

The other issue is, again, I'll emphasize Alaska is one-fifth the size of the rest of the United States. We have a lot of area, and we are data-poor. We have only a handful of long-term observations in the State, and we have very few long-term records. And when I mean long-term I mean beyond 12 years. So it's very challenging to work in that environment. And so what we really need is more observational information to go off of.

And, you know, we can do a tremendously better job with the science if we have data. The only way to make the models do better is to actually have data that drive the models. And so we don't have that right now. So we're doing the best we can. And one of the most important observation technologies that we had on Operation Ice Bridge in the State that measures the height of glaciers

is now gone. It's been discontinued as a program, and so we can't do that anymore.

And so the other thing I'll say is that I'll echo the comments of having a mixed bag of individuals to do critical tasks, and it's important for us to have a diversity of individuals working on these really important issues.

Chairwoman JOHNSON. Thank you very much. Dr. Pfeffer.

Dr. PFEFFER. Well, after writing down my list of responses to your question, I realize that all I really have to say is I'm with them. We seem to be pretty much on the same page here, and I promise you, we didn't rehearse this in advance. It really boils down to people and support to train those people. We've got these critical questions, the research questions. One of them is the tipping points. What's actually going on in glaciers and ice sheets that causes this occasionally very anomalous behavior? We can't model that problem out of the way with computers or with our knowledge of mathematics and mechanics. We actually have to get in—literally in and under the glaciers to see what's happening.

Decades ago when I was—we don't have to run away and leave the building, do we? OK. Decades ago when I was a graduate student—and Richard will remember this, too—we had programs all through the United States with opportunities for graduate students to work on small glaciers in Alaska, in the Pacific Northwest, and the Scandinavia and Arctic, as well as in Greenland and Antarctica where we could go back a number of times while we were students, really learn what is happening on glaciers. I'd already made 12 trips to Alaska before I finished my Ph.D. That's really not happening anymore. Most departments—and we have—we've got an abundance of programs. We've got a lot of expertise out there searching for students trying to bring them in, but we don't have the support to really go places to train them. And so we are producing a lot of computer modelers, very good, and they're doing very important work, but they're waiting for this knowledge to come in for them to put into these models. And we're really falling behind on that.

Also, as Dr. Wolken mentioned, we're missing what's happening in Alaska. Operation Ice Bridge, which was our best way of tracking the loss of glaciers in Alaska, is—that's vanished for the time being. And monitoring of fresh water flowing into the ocean, we don't know what that is. So we need the answers to those questions, but to get the answers, we need people.

Chairwoman JOHNSON. Thank you very much. I'm way over. Mr. Lucas.

Mr. LUCAS. Thank you, Madam Chairwoman. Dr. Moon, let me turn to you first, and let's discuss for a moment Federal Government spends a lot of money on research, we have a lot of research dollars coming out of National Science Foundation, the various department agencies, the DOD (Department of Defense). We're doing a lot of things. Let's talk for a moment about from your experiences what kind of suggestions you have about, in a more direct way, how do we make sure that the various Federal research activities are better coordinated, integrated, as you noted?

Dr. MOON. Well, I can speak to one example that I think is a nice example for how to work on this. This is a program called IARPC,

the Interagency Arctic Research Policy Committee in the Arctic. And that program brings together people who are funded and doing work supported by different agencies. They have regular webinars, they have regular meetings, and it helps people communicate on what's going on. I think having those sorts of tools and having communication between agencies at the program manager level also encourages us on the research scientist level to be able to get information that tells us about what different agencies are interested in so that we have a sense of the interest, the potential funding, and how they might be connected together.

Mr. LUCAS. Thank you. And, Dr. Bell, as I mentioned in my opening statement, I have a deep interest in weather patterns. I represent the northwest half of the great State of Oklahoma. I'm a product of my experiences, but I'm also impacted by the experiences of the people who came before me in my district. And where we are on the east side of the Rockies and the Southern Plains, my area was one of those that suffered dramatically in the Dust Bowl of the 1930s, the Great Depression.

But in addition to that horrendous drought, which had a lot of government policy and farming practices that enhanced the misery, we went through a drought in the 1890s, drought in the 1930s, drought in the 1950s, the horrendous drought at the beginning of this decade. So you understand as a farmer in the real world, where I come from, when I asked my questions, how much does the scientific community understand about what will happen in the lower latitudes weather-pattern-wise by what's going on in the glaciers? Do you see where I'm coming from here? Because glacial water changes the chemistry of the oceans, changes the temperature. I did pay some attention in my science portfolio at Oklahoma State.

Dr. BELL. Right. And one of the predictions, you know, one of the very clear predictions from the climate models is we're going to see a lot more of those extremes. We're both going to see a lot more droughts, and we're likely to see a lot more floods—many of your neighbors saw a lot more floods this year because we all know we're here in Washington in the summer and it is hot and muggy. That is because hot air holds more moisture. And in the long run, that's going to make more floods. So, you know, in terms of the direct linkage between the warming climate and the weather, that's the easiest one to think of. We are going to expect more extremes in precipitation, and we're going to expect more extremes in weather. The direct link between the changing land ice and changing climate is something we're still working on is what—we heard it from Tad Pfeffer is what will that water go into the ocean due to the ocean circulation? Where are certainly hypotheses out there that the changing sea ice have contributed to some of the extreme weather we're seeing now. You know, certainly, that's on the table. But again, it's showing how we have not decoded the weather system and the climate system on our planet, but we can see the impacts already.

Mr. LUCAS. Please, Dr. Alley.

Dr. ALLEY. Yes, Representative Lucas, I'm sorry to interrupt. As you know, the great State of Oklahoma is fantastic in educating meteorologists. And you probably also know that there really is

scholarship that shows that the Federal investment in meteorology pays handsome dividends for the well-being of farmers, for the well-being of fisherpersons and others and at a level that is a huge payoff on investment. And I can give you chapter and verse if you need it.

There is great optimism now in the community that does weather forecasting that we will be able to move into that area which would give more warning to the farmers of Oklahoma, the fisherpersons of Oregon and Maine as to what's coming. We can't guarantee that, but the optimism is real, it's palpable, and it's exciting.

Dr. BELL. And I'm going to just add one thing—I just want to follow up with one thought following on Richard's is that we have invested a lot in weather forecasting. That's why we now—I'm not a farmer, I'm a sailor, so I think about hurricanes more than droughts. Sorry. But——

Mr. LUCAS. You have waterspouts, we have tornadoes.

Dr. BELL. Yes. Yes, yes, I do, and I worry about them, too. They give me goosebumps. But we've been able to narrow our understanding of where those hurricanes are. I can plan much better when I hear there's a hurricane coming than I used to, and that's because we've invested in weather research, everything from the process-based work to the numeric, and that's what we don't have for the ice sheets yet.

Mr. LUCAS. Indulge me, Chairwoman. Dr. Wolken, what are you telling the State of Alaska about how to handle the circumstances in the next decade or so?

Dr. WOLKEN. Well, that's a good question. I mean, we have some of the best climate modelers in the world at the University of Alaska Fairbanks, and they are doing a tremendous job in producing downscale climate models for Alaska. Their products are only as good as the data that they can use to train those, and they've done a tremendous job in predicting out to, say, 2100 what the climate is going to be like. From that, we can make some estimates of how glaciers are going to respond, how the cryosphere in general is going to respond. And, you know, the best tools that we can produce are available, but we need to improve those tools tremendously in order to make better predictions so people can plan.

Mr. LUCAS. Thank you, Doctor. Thank you, Chairwoman.

Chairwoman JOHNSON. Thank you very much. Ms. Bonamici.

Ms. BONAMICI. Thank you, Chairwoman Johnson and Ranking Member Lucas. But really thank you to our witness experts who are here today. We do appreciate your expertise.

I'm fortunate because I'm from the Pacific Northwest where we still have glaciers and the Cascade Range and the beautiful Wallowa mountains in eastern Oregon. And over the years the snow and ice masses have really helped delicately balance our water temperature and our ecosystems. The nutrient content, glacial melt water has provided drinking water, and the runoff helps power our communities. Tourism and outdoor recreation are really important in our State. People travel to see our streams, rivers, and lakes, which the glacial sediment makes this iconic teal color. It's a beautiful place. You should all come and visit.

But today, the glaciers that have once filled a lot of the hanging valleys and the moraines and the mountaintops across some of the most pristine regions are rapidly melting and in large part because of anthropogenic emissions.

On Mount Hood, which we can see from Portland in my home State, the Sandy Glacier Caves were once the largest glacier cave system in the lower 48 States, but now, the glacier is melting at an alarming rate. And further north of Oregon at the Columbia River basin at Glacier National Park, they're losing the geologic features that provided its namesake. In fact, when it was founded in 1910 the park had about 150 glaciers. And according to a study from Portland State University and the USGS the park is on track to lose its remaining 26 glaciers in the next few decades.

Dr. Moon, thank you for your testimony. You mentioned the role of glaciers in sustaining ecosystems, and in northwest Oregon the expedited rate of melting of glaciers could have significant consequences for our salmon and steelhead populations and threaten recreational and commercial fishing, tribe species that benefit from healthy salmon runs. And as the glaciers melt and the water flows change and the water temperatures warm in the Columbia basin, the tributaries, the fisheries are threatened. So how quickly are these larger ecosystem changes taking place? And are there potential adaptation and mitigation strategies that we in Congress can support to help at this point in time?

Dr. MOON. I would say—and you might find, too, some—that you have many problems also related to those that are being seen in Alaska and receive comments there. Certainly, we are losing those glaciers very rapidly. Just as you cited, we are seeing retreat and ice loss at rates that have never been seen in these areas. And so those fundamental changes that are happening rapidly and quickly are changing the ecosystem just as quickly. One——

Ms. BONAMICI. Right.

Dr. MOON [continuing]. Thing to consider is that in many of these places we initially see a bump in the amount of water because we're getting warmer air temperatures. We still have the glaciers there at the moment, so you actually get a bump in water availability, and we see communities also in other places in the world where they depend even more strongly on glaciers for drinking or irrigation water adjusting to an added level of water input, which then of course is eventually going to decline substantially to levels below what it was——

Ms. BONAMICI. Right.

Dr. MOON [continuing]. Previously. So they are rapid changes, and I think that there are many places where the research is not keeping up with the speed of these changes. That's true for us understanding the glaciers and ice sheets themselves and also certainly true for understanding the ecosystems that depend on it. So I think it may be a case where we are changing things that we are not even able to keep up with or see the true level of those changes.

Ms. BONAMICI. Thank you. Thank you. I appreciate that very much. And a good place for the Science Committee to get some more research funded.

Dr. Pfeffer, some of your colleagues at the University of Colorado Boulder published a study in 2017 about the effects of dissolved black carbon on glacial melting, that sooty black material that's emitted from gas and diesel engines, coal-fired power plants, and wildfires is a significant portion of particulate matter and contributes to climate change, as we know. The study found that the black carbon from the combustion of biomass and fossil fuels can enhance glacial melting as black carbon deposits on snow and ice surfaces, then the particles decrease the Earth's ability to reflect rays from the sun, so then that results in the absorption of heat and faster melting. But it's also worth noting from the testimony here today that even if anthropogenic emissions were halted immediately, we'd still see the reciprocal effects on glaciers.

So, Dr. Pfeffer, what are the most apparent gaps in the current modeling of glacial recession for various emission scenarios? And assuming that the U.S. achieved a net-zero carbon emission policy by midcentury, where should we invest more Federal resources in responding to the consequences of glacier melting?

Dr. PFEFFER. So the process that you bring up, black carbon, it's hard to see when you're actually out there. It's quite a subtle effect but very small particulate matter, which is carried into the air, and this was particularly a problem prior to the collapse of the Soviet Union because there was a lot of coal burning industry in Siberia. It's far enough north for their emissions to get trapped in this atmospheric gyre in the Arctic. That's reduced a little bit but not by a large degree. And emissions from further south still get into the Arctic basin and also elsewhere. Not all of Greenland is in the Arctic basin, for example. Southern Greenland is exposed to air masses that come off of Europe and North America, so there's a lot of mixing. And this material continues to be deposited.

I think that understanding the surface energy balance, things like if you make the surface of an ice sheet just a tiny bit darker, how much effect will that have, that understanding is pretty well in hand but we need observations. Simply knowing that it happens isn't enough. I really do think, though, that the basic needs go beyond that to simply making the observations. There are so many parts of the world that were, until recently, really in the dark. A lot of high mountain Asia, Himalayas and other ranges, that's been partially addressed by remote sensing, but again, not all of it. Some of this work just has to be done on the ground.

Ms. BONAMICI. Thank you. And I see I'm out of time, but, Chairwoman Johnson, I request unanimous consent to enter into the record this study from the University of Colorado.

Chairwoman JOHNSON. Without objection.

Ms. BONAMICI. I yield back. Thank you.

Chairwoman JOHNSON. Thank you. Mr. Brooks.

Mr. BROOKS. Thank you, Madam Chairwoman.

Is anyone on the panel not familiar with the Earth's last glacial maximum, roughly 20,000 years ago? OK. Everybody is? Good. For those in the audience who are not, by way of background, during the last glacial maximum, northern Europe was under ice, roughly 90 percent of Canada and almost all of the Continental United States of America north of Missouri and the Ohio Rivers and east of New York City under ice. According to the United States Geo-

logic Survey, during the last glacial maximum, again, 20,000 years ago, sea levels were roughly 410 feet lower than today. Stated differently, for 20,000 years, sea levels have risen on average 2 feet per century versus the much less roughly 1 foot per century rising rate since 1993 that is reflected in Dr. Alley's written testimony.

Finally, per Zurich University of Applied Science, Earth's average temperature 20,000 years ago was 48° F versus 59° F today. That's an 11-degree increase in global temperature average over the last 20,000 year period.

So my question to each of you is, and we'll start over here with Dr. Pfeffer and move from my right to left, did human beings cause the global warming that started 20,000 years ago and continues through today or, if not, what did?

Dr. PFEFFER. So the examples from 20,000 years ago that Mr. Brooks gave us, they are excellent examples of the kind of natural variability that the Earth experiences. And there's no question that in the past, there have been changes in temperature and sea-level rise and weather patterns and climate generally as dramatic or more dramatic than what we may be experiencing in the future. And of course they weren't human-caused 20,000 years ago or in the last million years. All of these variable events have been occurring throughout the Earth's modern history.

Mr. BROOKS. Well, my first question, in your judgment, did human beings cause the global warming that began 20,000 years ago during the last glacial maximum?

Dr. PFEFFER. No. No, absolutely not. It's an example of spontaneous natural variability, one of the many ways that this whole system, whether you look at it in terms of sea-level rise or temperature, storms, can be varied.

Mr. BROOKS. Are you familiar——

Dr. PFEFFER. Natural——

Mr. BROOKS [continuing]. With the phrase snowball Earth or slush ball Earth——

Dr. PFEFFER. Oh, yes. Yes.

Mr. BROOKS [continuing]. Roughly 600 million years ago——

Dr. PFEFFER. Yes.

Mr. BROOKS [continuing]. When we were almost entirely ice or slush?

Dr. PFEFFER. Entirely natural variation.

Mr. BROOKS. Versus the Paleocene and Eocene thermal maximum of about 55 to 56 million years ago when the average temperature was roughly 73° F, which is 14 degrees warmer than what we are experiencing now.

Dr. PFEFFER. Yes.

Mr. BROOKS. If you don't mind, Dr. Wolken, let's go to you. Did human beings cause the global warming that began 20,000 years ago?

Dr. WOLKEN. No, absolutely not. That was just a product of natural variability in the climate system. Yes.

Mr. BROOKS. Dr. Moon?

Dr. MOON. Humans weren't around in nearly the numbers we are today, so we certainly weren't available to be combusting fossil fuels at the rate we are today or putting emissions into the atmos-

phere. You can consider we've built America in the last 243 years, and we're changing things at a much more rapid rate.

Mr. BROOKS. So you also agree then that the global warming that has occurred over the last 20,000 years, that 11° F increase in temperature was not human caused at least when it began 20,000 years ago?

Dr. MOON. So I would agree that when it began 20,000 years ago when we were coming out of the last glacial, that was not caused by humans.

Mr. BROOKS. All right.

Dr. MOON. The warming of the last hundred years most certainly was.

Mr. BROOKS. Out of curiosity, how do you explain that the sea-level rise average over the last 20,000 years has been 2 feet per century, yet we're down to 1 foot per century?

Dr. MOON. So much of our rise in sea levels that you're talking about came earlier in that 20,000 years.

Mr. BROOKS. For 6,000 or 7,000 years.

Dr. MOON. Over this last 10,000 years, we've been sitting with very stable sea levels. And those stable sea levels have allowed us to develop the coasts of the world.

Mr. BROOKS. All right. Thank you, Dr. Moon. And I only have about 30 seconds left for Dr. Bell. Dr. Bell, in your judgment, 20,000 years ago, global warming when it began, was that caused by humans?

Dr. BELL. In my judgment, the variation that we were seeing 20,000 years ago was part of the pulse of the planet. It pulses at 100,000 year glacial, interglacial. When I started graduate school, we were expecting to go into the next glacial period——

Mr. BROOKS. Yes.

Dr. BELL [continuing]. Except that we as human beings in the last hundred years—and you can see the kick up—since we invented the steam engine, you can see the temperature moving up.

Mr. BROOKS. All right. I'm out of time. Madam Chairwoman, I appreciate your indulgence. I just wish I had sufficient time to actually get into what the cause of the global warming that began 20,000 years ago was if not humans. Thank you.

Chairwoman JOHNSON. Excuse me. Go ahead, Doctor.

Dr. PFEFFER. I just wanted to respond a bit further to your question. The changes in the past have—there are two significant differences between those events and the events today. One of them is that they were triggered by natural variations, not by human agency.

And let me just give you an analogy of your house. Your house might burn down, and it might burn down for entirely natural reasons. It might be struck by lightning. But it could also burn down if you're careless and you, you know, drop a cigarette in the crack in the sofa. Both of those are triggers that result in your house burning down. The presence of one of them doesn't really say much about the other except that they both lead to the same endpoint.

The other thing is that while there were these very dramatic temperature changes and sea-level rises in the past, which were entirely natural, we weren't there to deal with them. The problem here is with people. How do we respond to environmental change?

The Earth will take care of itself. It doesn't really care what happens. It's what people do. And if this had happened, you know, a long time ago when the population of the Earth was a few hundred million, it probably wouldn't have mattered either because we could have just gotten out of the way. But as it is today with the numbers of people that we have and the infrastructure, we're very sensitive to changes of this kind. We don't handle change very well.

For example, suppose that the conditions for growing crops that exist today in California picked up and moved to North Dakota for a couple of hundred years. There are variations like that in the fairly recent geologic past that occurred. How would we deal with that? It's an entirely different world than what we were not here to experience but we know about 20,000 years ago. We're much more sensitive. We don't deal well with change, and to deal with it, we need to know a lot about it.

Mr. BROOKS. Dr. Pfeffer, thank you for your additional insight.

Chairwoman JOHNSON. Thank you very much. Mr. McNerney.

Mr. MCNERNEY. Well, I thank the Chair for calling this hearing, and I thank the witnesses. I appreciate all your testimony this morning.

While the planet is continuing to warm up and I believe we are going to blow past the 2° centigrade marker that people say is the limit of tolerability, we need to be looking at all the potential tools in the climate solutions toolbox, especially if we're to take action to prevent the collapse of the West Antarctic and Greenland ice sheets. That's why I introduced the *Geoengineering Research Evaluation Act* last Congress. It didn't pass, but just introducing that caused the National Academies of Science to explore the state of research in climate intervention strategies, as well as the need to implement a governance structure of those technologies.

Dr. Bell, given the complexity of the climate system and the risks associated with further human interference, how do you think the U.S. should approach the field of research on climate intervention?

Dr. BELL. Both the National Academy and AGU, the American Geophysical Union, have statements that say this is an issue that we must research. If done wrong, it could be terrifying. But, again, it is the same problem that we have been saying before. We don't have the sufficient workforce looking at the issue, evaluating it, and building the body of knowledge to evaluate whether or not it is a good idea.

To me, I come back to the very, very few examples of geoengineering of the ice sheets that are out there. And to give you the idea of how many groups have done it, I think two groups have put it on the table. You know, one is basically for one approach—you get a bunch of snow blowers and put more snow back on the ice sheet. The problem is it turns out if you put snow blowers on the ice sheet, it gets steeper and it flows back into the ocean. It didn't work. The other idea is to build bigger than the Panama Canal many times walls to keep the ice sheets from being attacked by the warming ocean.

These are ideas being put on the table by a small cadre of glaciologists. What this illustrates is that we need, as a species, to research this, and we need not just glaciologists, not just atmosphere scientists, but we need to bring the full suite of talent to the

table to think about this because, as we address climate change, we're going to probably need to look at every tool that we have available. That's what we found when we did the building down the street.

Mr. MCNERNEY. Thank you.

Dr. BELL. We couldn't reach our goal by doing just one thing.

Mr. MCNERNEY. Dr. Alley, do the risks of abrupt change in the Arctic and Antarctica indicate that we should be serious about technological interventions such as sunlight reflection to maintain stability?

Dr. ALLEY. So I would echo what the National Academy and what the American Geophysical Union have said, which is that we need the knowledge base that will allow you, all of you in this learned body, to actually make wise decisions. We don't yet have that knowledge base. There are real issues with international governance, as you raised, and thank you. There are real issues with reception by people. I can tell you stories of—geoengineering cloud seeding that led ultimately to a professor from Penn State having a hole shot in his car door because the local farmers were very unhappy with the idea of cloud seeding. Sort of how this plays out into the broader populace is sometimes not as obvious and as simple as you might imagine. So I think gaining this knowledge base so that you would then have the capability of making wise decisions is wise.

Mr. MCNERNEY. Thank you. Again, Dr. Alley, the West Antarctic ice sheet has been noted to have the greatest amount of uncertainty in the melting and breaking rates. How much of the uncertainty related to West Antarctic ice sheet can be addressed by additional research, and how much is dependent on the future rates of warming?

Dr. ALLEY. Right. Certainly, the uncertainty can be reduced by the research, but it is already very clear that the faster and the more we warm, the more likely a failure will be. So in our world mitigation, trying to slow down the warming, buys you time. It buys you time to learn. There is always some danger with a tipping point that you pass it before you see it, and it's too late to slam on the brakes. It's too late to turn and avoid the iceberg. And very rapid warming, that becomes more likely for West Antarctica as we run at the future.

Mr. MCNERNEY. Well, what are some of the—Dr. Bell perhaps—what are some of the major concerns about the collapse of the West Antarctic ice sheet?

Dr. BELL. The major concerns are that it could go fast, and we don't actually know how fast. It's back to the ice sheet we know there used to be an ice sheet in New York and many of the States here. We didn't see that one collapse or the residents of New York then who didn't record what was happening. So we as a species don't have the record of how an ice sheet collapses, so we worry about how it collapsed—what happens to the ocean, how the ocean chews at the bottom of it as the ocean warms. We worry about what happens when the surface melt, where does that water go? Does it fall into cracks and act like a jackhammer to open it up, or does it run off like a river? There are some major fundamental understandings about how warming air, warming ocean impacts

ice. And in that sentence alone you see how we have to have different disciplines talking to each other.

Dr. ALLEY. So Dr. Moon is working on this problem in Greenland, and Dr. Pfeffer is working on this problem in Alaska, as is Dr. Wolken, so the truth is the—what we learn spreads broadly.

Mr. MCNERNEY. Well, I hope the other three panelists don't feel neglected, but I only have 5 minutes, so I'll yield back. Thank you.

Chairwoman JOHNSON. Thank you very much. Mr. Babin.

Mr. BABIN. Yes, ma'am.

Chairwoman JOHNSON. Changing of the guard.

Mr. BABIN. All right, musical chairs. Sorry about that.

Dr. Wolken, in addition to serving here on the Science Committee, I also serve on Transportation and Infrastructure. And I represent southeast Texas and have four ports in my district. I recognize the importance of our navigational ship channels.

With that being said, one of the things I find very interesting on this topic that's relevant to my Committees and my district is the possibility of two trans-Arctic commercial shipping routes that are opening up. This isn't to say that I want to see all the glaciers melt and the sea levels rise uncontrollably, but if there are inevitable changes, I want to make sure that the United States is positioned to be economically fortified. And I know that the Russians are certainly exploiting newly opened up shipping lanes, ice-free zones, and even claiming certain areas that were considered in international waters are no longer that but belongs to Russia.

So how do you see the Department of Transportation or even the U.S. Coast Guard interacting with coordinated multi-agency collaboration that you say is needed?

Dr. WOLKEN. Yes, thanks for the question. I'll answer in two ways. The first is that what you speak of is really an incredibly important issue and, you know, economics and national security really do come to mind. And that's a sea-ice issue in the north, principally. And reduced sea ice of course is offering opportunities to enter into the Arctic and explore and ship, and that comes with fantastic opportunities of course and a lot of perilous conditions that could cause lots of environmental damage if not done right.

Having a multi-agency approach is incredibly important a little bit farther south. And you mentioned the Coast Guard. We have changes in Alaska that are impacting many of the fjords and the transportation routes in the South, and some of the changes in the cryosphere or changes in the snow, the ice, and the permafrost in the mountains are unpredictable to us right now. We don't have enough information. And so the Coast Guard communicating with various universities and agencies about how stable the slopes are, about how fast conditions are changing in certain areas could really be an asset to the Coast Guard as they respond to emergencies or possible disasters from cruise ships or fishing boats in different areas.

I will point out an example in 2015, there was one of the world's largest snow/rock avalanches into the Tyndall fjord, and in the process of that collapse, the tsunami that resulted from the rock falling into the fjord was enormous. It caused a trimline like the bathtub ring that was around 600 feet high. And any fishing boat

caught in that or Coast Guard vessel or tourist ship would have been destroyed.

Mr. BABIN. Right.

Dr. WOLKEN. So communication about the data that we have to the individuals who will be working in these different areas, Federal agencies such as the Coast Guard, it's critical that we have this conversation.

Mr. BABIN. Absolutely. Thank you very much. And one other question. Some experts have predicted that our currently available mapping and navigation and ship capabilities are going to limit just how frequently and successfully we use these potential routes. And, Dr. Wolken, and to all of our witnesses, when conducting research on ice depth and volume, is there also efforts to improve commercial shipping potential such as data needed for mapping? Dr. Wolken, I'll ask you first, and then I'll go to Dr. Pfeffer.

Dr. WOLKEN. Yes, so a lot of the work that's being done in the fjords in Alaska are specifically focusing on the nearshore environment, and so the exchange of dynamics of interactions between the glaciers and the water in that environment. And so in the process of doing that, wonderful maps of the fjord are being generated; lots of different surveys of the coastlines are being generated in the process. And so the really great part about this is that we can have overlapping interests being served with good research in the right areas. And I think that's where this idea of having these interagency collaborations, these multiple perspectives, this team approach is really important.

Mr. BABIN. Great. And, Dr. Pfeffer, I think you wanted to say——

Dr. PFEFFER. Yes, I wanted to respond because your description of the situation in Texas reminds me a little bit of an experience that I had about 5 or 6 years ago where I was employed as a consultant for the Prince William Sound Citizens Advisory Committee, which is an environmental group that was set up in the State of Alaska following the Valdez oil spill to provide environmental oversight in Prince William Sound, which includes the town of Valdez and southern terminus of the Alaska Pipeline. And their specific concern was icebergs.

The Columbia glacier in Alaska, which is one of the glaciers that I've worked on for many years, was a major iceberg producer. And those icebergs came out into the shipping lanes. And the Alyeska, which is the operating company for the Pipeline, and the Coast Guard were both concerned about what future iceberg hazards were going to look like. Specifically, they had an ice detection radar system that had come to the end of its useful lifetime, and they had to replace it. And what their specific question was, you know, do we have to be worrying about icebergs for the next hundred years or the next 5 years?

And so I worked with them for about a 2-year period developing some simple models based on how much of the glacier was left and our best prediction of what the retreat would look like to give them some sense of what the iceberg discharge would look like. It was a good opportunity to collaborate with a State-level agency and also with the Coast Guard. We have a limited amount of bathymetry for that region. It would be good to have more, and NOAA has done

some surveying in there. But that kind of interagency cooperation could be a lot more frequent than it is, and when it does happen, it's extremely beneficial. It certainly was a great help to us in Alaska.

Mr. BABIN. All right. Thank you. Thank you very much. I appreciate it.

Dr. BELL. I have a quick addition to that in that just last summer we saw one of the first groundings—I actually saw the vessel before it grounded of a Russian icebreaker that ended up grounding in the Northwest Passage, you know, exactly the places we're hoping or were thinking may be opportunities for more connections across the high Arctic. So it is a critical issue because it ran aground on an uncharted rock——

Mr. BABIN. Right.

Dr. BELL [continuing]. In essence. And the other piece is that the Coast Guard provides critical infrastructure to support the work we do in Antarctica. Without the U.S. Coast Guard and the heavy icebreakers, we could not, the U.S. could not run the flagship programs they do. And we are seeing the Asian countries invest deeply in icebreakers. The Chinese Government has invested in two. The Koreans have a beautiful new icebreaker. We need strong icebreaking capability both for ability to engage in the Arctic and continue to be leaders in Antarctica.

Mr. BABIN. And we have a shortage of icebreakers, do we not?

Dr. BELL. Yes.

Mr. BABIN. Yes.

Dr. BELL. That's why I thought this was a moment to remind you that——

Mr. BABIN. Yes. Thank you.

Dr. BELL [continuing]. With science, it's really clear—boats run aground, and we need icebreakers.

Mr. BABIN. All right. Thank you very much. My time has long expired. Thank you.

Chairwoman JOHNSON. Thank you very much. Mr. Casten.

Mr. CASTEN. Thank you, Madam Chair. Thank you all so much for being here.

Earlier this Congress at the Environment Subcommittee hearing on the impacts of climate change on our oceans and coasts, our experts were talking about what we need to do to stay below 1.5 degrees of warming. And I asked them if we got rid of all CO_2 emissions tomorrow, how much sea-level rise is already baked in? And the answer was an unequivocal 2 feet. I think that's consistent with your testimony, Dr. Alley. That is frightening, but in some ways, I have a bigger fear that's the deficiencies of our little Homo sapien brand.

And I want to demonstrate this and I want all of you out in the audience, you get to participate now. We're going to do a little experiment. So what we're going to do—this is real easy. I'm going to say two things. You give me the next in order. A, B? A little louder, come on. You got this. This isn't hard. Thank you. All right. Second one, 2, 4? You're all wrong. I was looking for 8.

This is the problem, right? We have all of these nonlinear trends, and our little brain says 2, 4, 6 and we see all these things that are going on. And, Dr. Alley, I think you alluded to this in your

testimony. And so if 2 feet is baked in and if the likely skew of that data is not a bell curve but on the more frightening end of the spectrum, what sea-level rise should we be planning for within the zone of possibility?

Dr. ALLEY. I surely wish I knew. This is a frustration for us at a level that is deep and I wake up at 2 in the morning and I look at the ceiling and I say what do I tell somebody? I can remember coming back from Old Ironsides on the water taxi while doing the Freedom Trail in Boston and sitting in the water taxi and putting West Antarctica into Boston Harbor and not knowing what to do, which is—I mean, I'm sorry, it is very self-serving for me to sit here and tell you that funding research is good because it might go to me or my students, but we want to know.

Mr. CASTEN. So I'm not asking you for certainty, and I appreciate—look, I started my career doing—I got a master's of science in chemical engineering. I get the caution. But we've got to sit on this side of the dais and make decisions, so I'm just asking if you were in our seats with uncertainty of information, what is the range that we should be thinking about in our zone of possibility?

Dr. ALLEY. Yes. Don't go below the IPCC and start thinking about flexibility. Think about adaptive capability, the——

Mr. CASTEN. I'm just asking for like a number of feet.

Dr. ALLEY. Yes, I can't give you a number.

Mr. CASTEN. How about a——

Dr. ALLEY. I wish I could.

Mr. CASTEN. How about a timing? How long do we have before 2 feet is locked in?

Dr. ALLEY. Yes, very soon if not and, so for the 2 feet, you're getting close. But the big numbers, it really is, you know, I mean, a good businessperson looks for the black swan, but they don't know when a whole flock of black swans is coming, and so they really do look to their best people to be ready, which is here. You know, that's you.

Mr. CASTEN. I want to get to a couple other things in my time, but the reason I ask this question is in part because the same day that we had that hearing—I sit on Financial Services. We had Federal Reserve Chairman Powell in, and I said to him we just had this hearing. You are responsible for helping us write 30-year mortgages. Do you factor in whether or not those mortgages are going to be paid off in low-lying coastal areas? And the answer was that he thought we probably should start thinking about that but we haven't yet.

We have a whole host of issues here that go just beyond whether the sea level's a little higher, right? We got housing. I live in Illinois where we've got, you know, polar vortex because—and polar bombs or whatever the term is of this year because, as that ice melts, we're destabilizing global weather flows and shifting that cold air down temporarily until we all get a lot hotter.

Dr. Wolken, I had a little fun doing a little Googling on the weather report on Moose Mountain where you live. I understand you got a huge unseasonable amount of rain a few hours ago. I understand that is pretty positive because you've got some concerns up there. Can you just help explain to me what's happening on

Moose Mountain that makes that rain good and how that is related to the falloff in sea ice?

Dr. WOLKEN. Wow, that's a really good question. I will preface this with some history about the winter. It was a very low snow year. We didn't have near the snow that we would normally have. And this is a trend especially across the Arctic. And this year it's been unseasonably hot. In fact, this week in Alaska many records have been broken. And this is common as well in recent years.

I left Alaska the other day to evacuation notices, so before I came here, we were planning to evacuate our house because fires were raging just near our house. And so the rain coming is a great idea. The whole State is suffering from smoke right now because there are so many fires really resulting from a chronic low-snow issue and having warmer temperatures that are really fueling the fires. And so this is a major issue for us, and it's become quite personal for me.

Mr. CASTEN. So just last question for the whole panel, has anybody estimated how many people's homes are at risk because of this combination of sea-level rise, spreading wildfires, flooding in the Northwest? How many people do we need to be thinking about dealing with right now? Do we have any estimates of that answer, Dr. Moon?

Dr. MOON. So I'm going to give you an estimate that's just a fraction of those things that you just asked about. This is just an estimate on homes. It doesn't include power plants, airports, military bases, anything else, just homes. If we're looking at 1 foot by 2035, that would be about 140,000 homes. If we're looking at 4 feet of sea-level rise, that's about 1.2 million homes. If we're looking at 2 feet, that's about 300,000 homes. So it's in the hundreds of thousands, and if we look at levels where we're reaching 6.5 feet of sea-level rise by 2100, we're looking into the trillion-dollar kind of mark just for homes. That's not other roads, other infrastructure, et cetera.

Mr. CASTEN. And I would presume that's just coastal. That doesn't include Dr. Wolken's house that may be at risk——

Dr. MOON. And it doesn't include wildfires or any of those other things that you mentioned that will be also addressed by addressing climate change.

Mr. CASTEN. Thank you. I yield back.

Chairwoman JOHNSON. Thank you very much. Mr. Baird.

Mr. BAIRD. Thank you, Madam Chair and Ranking Member. I guess my question deals across the board. We got all doctors here as witnesses, so the question I have simply, what Federal programs are most critical to gaining a greater certainty on the future change in ice sheets and those effects on sea level? So you can go in any order you want. Dr. Alley, you want to start?

Dr. ALLEY. Right. It is interagency. The National Science Foundation provides so many of the people support, and they do the lead agency in Antarctica and in some other things. NOAA, we have to have what they are doing. NASA has been keynote not only on Operation IceBridge, which we have been talking about, but the satellite monitoring. The DOE has a role in modeling, and so I've hit a lot of the high ones, but it really is the interagency, the U.S. Geological Survey. When I gave the number on how rapidly the ice-

bergs were breaking off when John Muir was watching, that number came from the United States Geological Survey. So it is having these wonderful centers of excellence that you have built that live in the U.S. Government and give us leadership, they are not localized in one place. They are in several agencies, and they work together, they know each other, and they can do this with support.

Mr. BAIRD. Thank you. I'll remind you that Madam Chairwoman gave me 5 minutes, so we can spread that out.

Dr. BELL. Well, I will echo the NSF for understanding why, NASA for monitoring how it's changing, the USGS for incredibly important measurements of the glaciers, DOE for modeling, and NOAA for lots of information about how the ocean is changing and what the fundamental tide gauges are doing.

Mr. BAIRD. Thank you.

Dr. MOON. You asked about narrowing our range of what's going to happen into the future. On the science side, integrating better observations and understanding of the physical system into our models, our models can't make up that information on their own. But I also want to reiterate that it is our mission's pathway that is going to make a tremendous difference in what that future number of sea-level rise and our future number for ice loss is. That's not the science part.

Dr. WOLKEN. Yes, I just want to echo the comments of my colleagues here and really just add that we're doing this in Alaska already. We're getting as many people together as often as possible to try to solve some of these issues. The only way to really do this is through an interagency perspective. And there's really no other way to address such a large issue. And all of the Federal Government programs are critical to what we do.

Dr. PFEFFER. OK. Well, again, I'm echoing what all of my colleagues have said, but I want to add to this. The problem of collaboration and communication between these agencies is not an easy task. One example, NSF operates on a principle that could be summarized as turn the brightest people loose on the most interesting questions. The fundamental function of NSF is to support these investigator-based science where each one is evaluated on its own scientific merits. It's not a mission-driven agency in the way that, say, NASA is. That has produced—it's been extraordinarily successful by letting scientists decide on what's the best thing for them to study.

But in a situation like sea-level rise, I think that more—well, it's for climate change generally, not just sea-level rise, I think that a more coordinated approach is necessary. Back in the early 1970s the National Science Foundation had a brief program called RANN, Research Applied to National Needs, where basically a management structure was experimentally imposed on research programs. And it was a notable failure. Almost everybody that you talk to that knows about RANN say, oh, boy, yes, that was a bad time at NSF but not everybody.

It's a little bit like the Manhattan Project. If the Manhattan Project had started out with the, you know, advisor saying, OK, we need to understand about atomic energy, all of you pick an interesting problem and go work on it and come back in 5 years, you know, that's not the way the Manhattan Project worked. And I

don't think we're going to solve this problem that way either. I'm not talking about the magnitude of the project or how much money should go into it, but I am talking about coordination and the need for some really innovative thinking about how those agencies should interact because it's hard to steer scientists and, you know, it really is a herding-cats problem.

But particularly with all these agencies, there needs to be some really imaginative way of figuring out what gets done first and how long do we have to solve it. And I don't have any answers to that, but I think that's a really strong need.

Mr. BAIRD. Thank you, and I'm out of time and I yield back.

Chairwoman JOHNSON. Thank you very much. Ms. Wexton.

Ms. WEXTON. Thank you, Madam Chairwoman, for yielding. And I thank the witnesses for appearing today.

It has been quite alarming to say the least to read your testimonies and also listen to the responses to some of my colleagues' questions here today. It is absolutely clear to me and it should be clear to everyone that we are at a tipping point of our Earth changing dramatically and irreversibly due to human-caused climate change. It's even more alarming that we're locked into 2-feet of sea-level rise—everybody seems to agree about that—and that, given the melting of the Greenland and Antarctic ice sheets, we could be looking at 11-feet of sea-level rise.

And how you prepare for that is something that is really important to all of us and certainly to me in my home State of Virginia. We have a lot to contend with over that. We are home to Naval Station Norfolk, which is the largest naval base in the world, and Langley Air Force Base. They are already having to deal with the effects of sea-level rise and the effects it has on our national security. And I'm also the mom of two kids, and I worry about what kind of a planet we're leaving for them and their kids.

I know that we had some questions about climate ice cover and sea levels and how they routinely change from season to season and over time. Some claim that this natural variability means we shouldn't be concerned with humans changing the climate.

Dr. Alley, I know that Mr. Brooks asked a little bit about this and Dr. Pfeffer did give some explanation of what is actually happening. But, Dr. Alley, can you explain what the science tells us and why we should be concerned with the changes in ice and sea level and climate that we're seeing right now? What makes it different from what happened, you know, over the past 20,000 years?

Dr. ALLEY. Yes. So thank you very much. It's wonderful that people take interest in what we do, you know? So, as you know, on a dry, hot summer day, you know, the hills of Virginia have always burned when there was a lightning storm. And because you know that, if you see kids headed out on a dry day with illegal fireworks, you were very worried about it. We know that people have always died, so we have metal detectors at the front of your building here. We know that climate has always changed, and that proves that climate is changeable. And you've never met the person who said the hills have always burned, so we won't worry about arson. But you have met the person who said the climate has always changed, so we won't worry about humans changing the climate.

The climate has always changed proves that the climate is changeable. The climate change has always affected living things, which proves it's important. Climate has changed for a lot of reasons, but CO_2 has been especially important. And that points a finger at us. Now, if you were an arson investigator, you better know natural fires. You do CSI (crime scene investigation) fire. If you're a homicide investigator, you do CSI homicide. We do CSI ice. We do CSI climate. And we actually have very high confidence that what is going on now is human, not natural. If anything, over the last small number of decades nature has tried to cool it off a little, so how much of the warming has been us is a little bit more than all of it is the central estimate.

But the fact that nature has done these huge things in the past, that when nature warmed a little bit, sea-level rose a lot. And then you say, well, we could cause a whole Ice Age of warming with our CO_2 in the future. And the last end of an Ice Age gave us 400-feet of sea level. There's 200 more left.

So I believe that climate has always changed is a very, very strong argument to be concerned about what we're doing for climate in the same way that burnable hills make you nervous about arson. And when——

Ms. WEXTON. And related to that, Dr. Alley, in your testimony you discussed several studies that suggest that the IPCC report is overly conservative and underestimates the rate at which ice sheets are and will continue to melt.

Dr. ALLEY. I have great difficulty finding any evidence that they are overly alarmist, and there certainly are things that point to the possibility that they have been low in the past. And, yes, that's fairly clear. When you look at the history of——

Ms. WEXTON. Can you discuss this current scientific research on estimates for tipping points for the Greenland ice sheet, Arctic ice, and Antarctica ice? What are the tipping points or what does the science tell us?

Dr. ALLEY. So Greenland, as it gets thinner, it gets warmer. As it gets warmer, it melts faster and gets thinner, and at some point it will be committed to loss. It probably will melt fairly slowly. West Antarctica, if it starts doing what the glaciers in Alaska have done, the coastal glaciers have done, it could go very, very rapidly. We're cautiously optimistic that the sea ice in the Arctic will act like a dial rather than a switch, but we're not entirely sure of that. We are worried a little bit about circulation in the Atlantic and other places that act more like a switch or a tipping point. The National Academy of Sciences looked at tipping points in 2013. They especially pointed to tipping points in ecosystems and in human systems. So at what point when people are stressed and they're having to move their houses or change what they do, at what point do the people become very mad and then tip into some other level of behavior. And so when you look, there are some physical tipping points, there are more ecological tipping points, and there may be a whole lot of people tipping points.

Ms. WEXTON. Thank you very much. I see my time——

Dr. ALLEY. Thank you.

Ms. WEXTON [continuing]. Is expired. I yield back.

Mrs. FLETCHER [presiding]. Thank you. I'll now recognize Mr. Gonzalez for 5 minutes.

Mr. GONZALEZ. Thank you, Madam Chair, and thank you, everybody, for being here for this important hearing.

I want to focus at least the beginning of my time on adaptation and resiliency. I think it unfortunately seems like there's a lot that's sort of locked in that we're going to be dealing with over the next however many years. And I'll start with Dr. Pfeffer. What are you seeing or what guidance can you give us with respect to making sure that we can adapt as sea levels rise and that we're building more resilient infrastructure?

Dr. PFEFFER. So I mentioned in my early comments I really am concerned with—in the work that I've done in the near term, the next 30, 40, 50 years where this constellation of factors has to be considered. One of the very interesting and extended conversations that I had was with a man named David Behar, who works for the San Francisco—the city of San Francisco as a coastal engineer. And one of the problems that they have to deal with are—it's a very large dike system that basically surrounds San Francisco Bay. And they need to know how far do they have to raise this dike system, which is extremely expensive? It's in the billions of dollars for a very small rise. And so it was not adequate to simply say, well, let's just be safe and figure on 10-feet of sea-level rise and then, you know—and you only get 1 foot and you've spent an awful lot of money.

Mr. GONZALEZ. Yes.

Dr. PFEFFER. In the same sense, one of the questions—and this goes back to an earlier question about how many people may be displaced by sea-level rise. If you take an overly conservative number meaning let's take worse-case scenario and you draw a line on the coast saying, OK, this is going to be inundated by such-and-such a date, what happens to the value of those homes on the basis of that line that you've drawn? And the nearer in time you get, the more important that becomes. So you really have to have a tight bound on sea-level rise and a tighter bound to the nearer to the present that you get. We don't really have that yet. In some places we do, and it's—very often is a group of scientists that live in a particular region like Hudson River, for example.

Mr. GONZALEZ. Yes.

Dr. PFEFFER. San Francisco Bay is another example where you can look at all of the causes of sea-level rise, including things like isostatic depression or rebound in an area as—partly as a result of large-scale things like ice sheets disappearing 20,000 years ago and partly local things like putting buildings on that land.

Mr. GONZALEZ. Yes.

Dr. PFEFFER. There are a lot of different factors that have to be considered and different time scales you deal with different factors. And I think it's another thing that points to this interagency collaboration.

Mr. GONZALEZ. Got it.

Dr. PFEFFER. But one of the things that I've tried to emphasize in the past is there's certainly a cost to neglecting sea-level rise, but there's also a cost to overestimating.

Mr. GONZALEZ. Yes. And I think that's actually a really important point is, you know, when we talk about resiliency and adaptation, there is a cost to all of this, right?

Dr. PFEFFER. Yes.

Mr. GONZALEZ. And we can't completely ignore that. We can't be too conservative or too aggressive or——

Dr. PFEFFER. That's right.

Mr. GONZALEZ [continuing]. You know, we're going to be wasting a lot of money.

Dr. Wolken, if I could shift to you quickly, in your testimony you mentioned that in Alaska there are only three long-term continuous records of glacier mass for the entire State. Considering remote sensing and computer modeling are used to predict future scenarios due to the lack of ground-based observational data, how reliable and accurate are remote sensors and computer modeling in measuring glacial melt and predicting future changes?

Dr. WOLKEN. Yes, we're doing really well with these different tools, and I think, you know, one of the things that you can envision is if you go to the hardware store and you get a laser rangefinder, for instance, from the shelf and, you know, you do some home renovations at your house, well, that laser is actually quite accurate. It's a laser, and it's very precise and accurate. And we use tools like that to really gauge how the ice is responding. We use other remote-sensing tools to do similar things, to see how much it's changing in this direction. And those are incredibly useful, and that's how we do things. We do those with both airborne and satellite-based assets.

There is a need in places like Alaska where the topography is so extreme and where the changes are so great to actually have ground observations. And so when you're using these different remote-sensing tools, the resolution isn't quite there some of the times, and so having ground observations to validate in some way or to correct in other ways is really the way to go. And so more ground observations truly do help us. With a lack of that, we have no option but to use the tools that are in front of us, and really, remote-sensing-based opportunities are where it's at for us.

Mr. GONZALEZ. Great. Thank you, and I yield back.

Mrs. FLETCHER. Thank you. I'll now recognize Dr. Foster for 5 minutes.

Mr. FOSTER. Thank you, Madam Chair. And I'd like to thank really the Ranking Member and all Members of this Committee and the witnesses about the tremendous increase in the level of serious discussion that we're having on issues like this over the last 2 years.

I think if you Google my name along with Greenland, you're led to a video of a previous witness who was a lawyer trying to convince this Committee that it was a matter of scientific debate whether or not it was a good thing that the Greenland ice sheet melted, OK? And so we're having a long-overdue and very high-quality discussion here.

Now, my next question, how many of you knew Charlie Bentley? Wow.

Dr. ALLEY. He was my Ph.D. advisor.

Mr. FOSTER. Well, oh, wow. I grew up next door to Charlie Bentley on Lake Mendota in Madison, and, you know, and I remember sitting on his porch discussing what he did. You know, he would disappear every couple of years and study the ice sheet in Antarctica, which did seem goofy. And I think it's a lesson on curiosity-driven research, that this thing, over the course of his career, went from something that was done by, you know, sort of an eccentric professor to something that is now going to be an absolutely crucial thing in deciding how we deploy trillions of dollars of capital to try to mitigate the damage of this.

Charlie passed away I think a couple years ago, and I understand there is a mountain named for him in Antarctica. Anyway, I was pleased to see the recognition among the Committee here.

Now my next question I had is, what is known about the speed of response of the ice sheet system to changes in temperature? You know, there are natural experiments when you get volcanoes going off with a couple degrees swing for a few years, is that long enough to actually be seen in the response of the ice sheet?

And the reason I'm asking this question is I think it's likely that we'll be able to decarbonize the U.S. economy. I think it is much less likely, you know, since we're 5 percent of the world population that we're going to be able to convince the rest of the world to decarbonize as quickly as necessary. And if that happens, then I think it's likely we'll be looking at things like albedo modification which has the potential of very rapidly changing the temperature. There's an article in Nature earlier this year that used state-of-the-art climate models to say, OK, you know, will it work or are we going to get cyclones and so on? And the first look was that it might be feasible.

But they didn't, to my remembrance, model anything having to do with the ice sheet. And so I was worried that maybe there was sea-level rise locked in just due to the thermal time constants, that even if you rapidly bring down the temperature of the atmosphere, that it will take a while. And so what is known in modeling or in data about that issue?

Dr. BELL. The ice sheets respond slowly—they're slow. I mean, when Richard and I started studying ice, we couldn't imagine they'd change as fast as they are today. I mean, Charlie actually—one of my first papers I wrote told me I couldn't write that they were going to change fast because even in the 1980s we couldn't imagine the speed at which we're seeing now. And now you can actually occasionally hear fear in scientists' voice because they are changing faster than Charlie thought they could when you grew up next to him, that we just couldn't imagine these thick pieces of ice changing, and he couldn't either.

But now we know they're changing due to the ocean warming and the atmosphere. The atmosphere is a faster driver than the ocean. So it will—there's—we don't have a good handle on how fast it's going to respond——

Mr. FOSTER. Do you have models that even make a decent approximation? Can you see, for example, in response to volcanic eruptions and the swing there, can you see changes in the rate of ice accumulation or de-accumulation?

Dr. ALLEY. We can do a lot of it right and a lot of it not yet, and so I could brag on the progress that we've made and some of it with Charlie's help. And I could bore you or scare you with where we're missing, especially the couplings into the ocean. So if you start blocking the sun, what it does to the atmosphere is fairly straightforward. What that does to the ocean, which is interacting with the ice, is not at all straightforward, and that really needs work. And there is——

Mr. FOSTER. Are these computing-limited problems or knowledge-limited problems?

Dr. ALLEY. Yes, especially knowledge-limited. The computing is coming. We could use a little more, but it's primarily knowledge-limited.

Mr. FOSTER. Yes.

Dr. BELL. It also has to do with those measurements, our lack of knowledge of even what the ocean temperature is around Antarctica. We can look from space—we can measure the top of the ocean, but we're still so limited in understanding what's going on at depth, and that's what matters because the critical parts of the ice sheet that are really—the sensitive switches, those are down low, and we don't have the good measurements.

Mr. FOSTER. Let's see. No, I'll abuse another 20 seconds. Yes, for the last——

Dr. PFEFFER. Yes, I wanted to add, and it's already been mentioned that the IPCC's fifth assessment, their discussion of sea-level rise is very conservative. I was one of the lead authors on chapter 13, which is the sea-level chapter, and that discussion that we had about what number are we going to put in for our upper limit, and I remember that very vividly. And essentially what we did is we said we just do not know yet enough about the rapid tipping point mechanics to be able to attach a number to this rapid response.

Mr. FOSTER. Thank you. And to the extent these are compute-limited modeling problems, you're very welcome to use the supercomputers at Argonne National Lab in my district—I can't think of a better use for them.

Dr. PFEFFER. Yes.

Mr. FOSTER. Thank you. Yield back.

Mrs. FLETCHER. Thank you. I'll now recognize Ms. Stevens for 5 minutes.

Ms. STEVENS. Thank you, Madam Chair.

We got to talk about the psychology here. I just went from another hearing on heat effects in the workplace and the overheating in the workplace from warehouses to fields and how that's impacting human health. We're willing the have the dialog on climate change.

So, Dr. Bell, you had a chart that kind of showed the sea levels and made that point about your father's life. How long have we been able to actually talk about rising sea levels and their impacts on us? How has modern science been able to influence this discussion and the question of what we can actually do to combat this?

Dr. BELL. The answer is, you know, people have been living with changing ice for a while, but the real understanding of the linkage between the changing ice—because people who live up near the

mountains like the people who live in Alaska are very aware of the changing ice and the people who live at the beach. It's really I'd say in the last two decades that it's gotten very strong, that connection. In fact, back to your psychology point, it's really in the last decade that we're starting to see the conversation about the psychology of how we handle it.

And it was only this year that—actually last month that the Earth Institute handled the—convened the first-ever conference on could we ever talk about managed retreat? What would that mean? How do we have that conversation? So in fact we are just opening this door of connecting the work that we all do on frozen stuff and beautiful places far away with what happens to our assets at the coast, to our beaches, to the naval ports, to the airports. We happen to like to build airports. You know, of the 10 impacted airports on the planet, five of them are ours because that's a good place. So we're just starting——

Ms. STEVENS. Well, and we're coming up with new terms, I mean, with these extreme weather events and what it means. And, you know, we can study the free-rider principle. We can study, you know, you start to think of like nuclear warfare or weapons of mass destruction and when faced with that threat and what do you as an individual do. What do you as a society do? What do we as a body of Congress do? What is it going to take for us to take this seriously?

I'm in Michigan, and I don't have a lot of sea around me but I got a lot of lake. And this is going to impact us. You know, I stumbled across a video. What is a world without ice? Is it going to take a modern society to see a full city go underwater for us to take climate change seriously, for us to take rising sea levels seriously and the grand challenge that we actually can do something about it, that it's a uniquely positioned challenge for us as the great America to take on?

So I don't know who else, with all your great expertise and your phenomenal science and all your great background can provide some guidance here, some common sense for us to not just talk about it but to do. And I don't know who can chime in here because we do this on recycling, with the plastics crisis, and what the individual can do, what the body can do, the body that we're currently in, and then on. Thank you.

Dr. ALLEY. Right. So you raised very important questions. I wish we had good answers. But you know the Nobel Prize in economics, corecipient last year, William Nordhaus from Yale developed tools which allow decisionmaking or inform decisionmaking. And he showed that efficient response on climate change helps the economy, right? If you want a bigger economy with more jobs, you take actions that honor the science on this. Many of our medical professionals, through their organizations, have said this is a serious health issue, that actions that would reduce the warming will have health benefits. Our military leaders have been very clear on the national security issues of not dealing with this. So environment and ethics are actually in the direction of economy and employment, as well as national security and health. And we can see futures in which very expensive sea-level rise happens and large

other changes happen, and we can see very bright futures where we use our knowledge.

The Yale climate communications people have surveyed what America thinks about climate and climate science. Most Americans tend to accept climate science but not all. But many, many, many Americans are very excited by the solution space. And if you ask them should we solve it, even if maybe they're not sure there's a problem, they're happy to go look for solutions.

Ms. STEVENS. Yes.

Dr. BELL. Representative Crist, I used to always show pictures of drowning Florida in my presentations. You know, I'd show how much I'd drown Florida. I drown Florida a lot. I decided I couldn't do that because it was depressing people, turning them off, and they were not listening. I see change—we have to move—before we drown Florida or New York where I'm from, we have to actually start thinking about how we as individuals—I very much worry about what I as an individual—I worry about my community I live in. I worry about my professional society, what we can do. And I'm very happy that you are asking—I worry about our local government, and I'm very happy that you are engaged—and it's essential that you take leadership on this, too, because we can lead if we step forward before we drown a city or a State.

Mrs. FLETCHER. Thank you. I'll now recognize Mr. Crist for 5 minutes.

Mr. CRIST. Thank you, Madam Chair. I appreciate the opportunity. I have some very well-prepared questions that my staff has put together for me, but you've inspired me to kind of go off script. I am from Florida, and my colleague asked I thought an excellent question about, you know, what is it going to take before, you know, Congress takes concrete action, each of us as individuals do so.

I live in St. Petersburg, Florida—it's on the west coast—and have lived there since 1960. And I live downtown. My parents live in the northeastern part of the city. We both live on the coast. So when I drive to visit my parents, who, thank God, are still alive, I go along the coast and I can see the difference in the sea level in the bayou that they live on. And I have noticed it significantly greater in the past 5 years. And I don't think that's just an anecdotal thing. I think it's a real thing.

You know, I previously served as Governor of my State, so I would travel the whole State quite a bit. And whenever I would go down to Miami, on Miami Beach in particular, there's a road called Alton Road. And Alton Road will flood when it's not raining. And I remember President Obama visiting south Florida and would talk about that example of, you know, the climate changing, the rising sea level. And so we Floridians get it because we've seen people drown a lot. And we're witnessing that occurring, you know, so it kind of freaks us out.

And, you know, it seems to me that we need to figure out a way to sort of get off the dime. And I'm sure, given your illustrious professions and dedication to what you study that it's got to be frustrating for you as academics to not see a whole lot of action in this area.

And I'm going to ask almost the same question but maybe in a different way. What kind of advice can you give us—as hopefully decent communicators to Americans—to motivate action?

Dr. MOON. So I want to reiterate Dr. Alley's point in emphasizing solutions. I had an opportunity to give a TEDx talk to my community in January, and I emphasized the solution space of it. And I had a friend then a month or two later sent me an article about the U.N. report, which told you about all the horrible things that are coming down the Pipeline for us. And she said is this true? This seems really radical. And I said, yes, all the information in there is true. And she said, you know, this hasn't motivated me at all, but your talk did.

So I want to emphasize that we need to be talking about solutions. That's motivation to people who don't even necessarily think about climate change because they wanted to be getting renewable energy, becoming energy independent, which is something that we can do with that sort of thing. The solution space is very inspiring. As Americans, we have led, we have innovated, we have created new paths for the world, and I think that we can convince people that we can do that in this space as well because, in fact, we can do that in this space.

And then the one other thing that I want to say in this area, too, is that it's about encouraging people to talk about this and come together with each other, too. We simply don't talk about this enough. And if we talk about solutions, we can also think about how we're directly helping people. I mean, in the last couple weeks we've heard about hundreds of people being laid off from coal mining jobs because of bankruptcies or other problems the—in decline in coal. But if we're thinking aggressively about moving forward, we can think about how are we going to give these people other jobs? How are we going to support them as we're losing this industry instead of just putting our head in the sand as we lose this industry, which is hurting people on both sides.

Mr. CRIST. Well, if I could follow up, I have a little time left. In speaking about the solutions, what are the most obvious ones to you that you would be willing to share with us?

Dr. MOON. Well, I'll tell you, I'm a scientist, so in my personal——

Mr. CRIST. Thank God.

Dr. MOON. In my personal solution space, a lot of it is in communication. I don't envy you as policymakers and having the much more difficult job in discussing all of the elements, not just science, that go into your policy decisions. And unfortunately, many of the questions on those solutions lie on your desks. And I really would love to see us depoliticizing climate change so that all of you can spend your time discussing which of these solutions we're going to implement and how.

Mr. CRIST. Thank you very much. I yield back. Thank you, Madam Chair.

Mrs. FLETCHER. Thank you, Mr. Crist. I'm going to recognize myself for 5 minutes, and then we'll continue with the hearing. This has been a really great panel, so I want to thank all of you for the time that you've taken with us this morning. And I want to thank

Ranking Member Lucas for holding the hearing. We've heard great questions and great answers.

And there's a lot that I'd love to cover, but, Dr. Moon, I want to go back to something that you talked about in your opening. You were talking about sea-level rise and sort of potential possible rise levels. And you mentioned that it was going to be 2.5- to 3-feet potentially in the next 80 years, and that number would be higher on the Gulf Coast. As a Representative of Texas' 7th congressional District in Houston right off the Gulf Coast, that of course perked up my ears. And I would love to learn more about why this is, what is the best estimate for the Gulf Coast region? Certainly, all of our eyes are on New Orleans right now. All of us are focused on the impacts of hurricanes and overall sea-level rise on our coastline and the Gulf Coast. And so part of the question is why is it and also what can we do about it?

Dr. MOON. There are a variety of things that determine sea-level rise in your local spot. So where we're losing ice on the Earth makes a difference. You are going to be influenced differently by losing ice in Antarctica than Greenland. There's also the ways that ocean currents and atmosphere currents move, pushes oceans one way or another, and also what's happening in your local region as far as your land naturally rising or falling already. And that's a—land subsidence is something that we see broadly across the Gulf Coast.

So there are these multiple different elements that all stack up to make what you in your individual city are going to see as far as sea-level rise. And it's quite consistent that in the Gulf Coast region we will be seeing substantially more than the global average over the—since roughly 1960, many areas along the Gulf Coast have already seen 8 inches or more, which is much more than the global average during those periods.

Mrs. FLETCHER. Thank you. That's helpful, helpful information. And something that we do talk about a lot and we talk about resilience and rebuilding a resilient infrastructure, there are a lot of issues, and I think it is top of mind in a way that it might not be for some other folks in terms of sea-level rise. But I think one consistent theme I've heard from every witness today is that we need more people doing the research, helping us get the information that we want to know so that we can make smart policy decisions and that we can know what we're dealing with.

So I really want to put this out to the entire panel to talk about how we are recruiting and training the next generation of glaciologists and where there's room for us to help. What kind of policy can we implement here, what kinds of things can we do in addition to funding that would be helpful for you, and for anyone who wants to take that on and talk about what we can do to increase that number from 1,400 to—and maybe what number you think would be good overall.

Dr. BELL. Well, there are 13,000 people who are members AMS just to give you an idea of what—who—and that's the American Meteorological Society, so who's working and worrying about the weather in the U.S. We have 13,000 people doing that, and we have 1,400 around the globe doing ice. So numbers should be higher than 1,400. Let me give you an order of magnitude.

What can we do? I think it's partially making it so everybody can talk about the science and back to Twila's point about it not being politicized and also making it so—I think we're driving some of the young talent from the field because it seems like it's a hard place to be, not because it's hard to go to the field and see beautiful places but because it's hard because you're under attack.

I think embracing science so we have within our communities science-based, evidence-based planning for the future I think will attract more people because young people want to make a difference in the world. And if they see there's science, even if you're studying how ice deforms and flows, is going to matter to what happens in your district, that's one way we can help attract it, by working on—even by holding this hearing is huge, but by working to ensure we have scientists intimately involved with developing the policy on how we're going to lead in the future.

Mrs. FLETCHER. Thank you. Would anyone else like to weigh in on that?

Dr. PFEFFER. Yes, if I could add——

Mrs. FLETCHER. Dr. Pfeffer.

Dr. PFEFFER [continuing]. A couple of comments to this. I mean, I think we're suffering to a certain extent from sort of, you know, the boiling frog syndrome of things changing around us at the moment at a rate which is, you know, gradual enough that we can say, oh, you know, this is just sort of natural variability or I remember something like this happening 20 years ago. I think making climate change generally a reality for people involved, somehow bringing it out of sphere of scientists. You know, a news report will say, OK, here's a scientist in Antarctica who has done such-and-such and thinks this, and then they show the picture of an icebreak or something, which to the ordinary, you know, person on the street, it looks like these scientists are on a different planet. It's all kind of removed from them and—in the hypothetical.

And somehow this link—and I think things like this hearing are creating this link that's not just scientists in this hypothetical space discovering this thing which can only be detected through sophisticated measurements but that it's actually happening in a way that everybody is feeling, and it's happening now. We're no longer waiting for the evidence that climate is changing. We've got it. We've got buckets of it. And that boils down to communication.

And I've done a lot of public presentations. As was mentioned earlier, I was involved in the movie "Chasing Ice" and have done a lot of that kind of public communication both before and after, and very often I get questions from people about, you know, what can we do? And it can be very hard to answer that question, especially if they're asking what can I do personally about climate change because it just seems like such a big problem? And one of the things that I do say to them is, you know, things like installing fluorescent light bulbs and, you know, buying a more fuel-efficient car doesn't seem like much, but we did create the problem one airplane seat at a time, one car at a time, one truck at a time. And the individual action does matter if everybody does it. And so recruiting people to understand and accept that this is a reality is sort of the first step.

Mrs. FLETCHER. Thank you. I yield back my time, and I'm going to recognize Mr. Tonko for 5 minutes, who will then close the hearing. Thank you all very much for your time today.

Mr. TONKO [presiding]. Thank you, Chair Fletcher, for what I think is a very important hearing. Thank you to the panelists for setting such a respectful tone for science, refreshing.

I represent New York's 20th congressional District, upstate New York, and it's home to much innovative pioneering work, the topic before us. At Union College in Schenectady, for example, Professor Rodbell has been working for more than 30 years to document glacier fluctuations in the Peruvian and Ecuadorian Andes. Professor Rodbell and his students are conducting ongoing research on glaciation in the Andes with a specific focus on determining rates of current ice retreat compared to natural rates of ice retreat in the geologic past.

At the University of Albany, Dr. Mathias Vuille, a professor in the Department of Atmospheric and Environmental Sciences, is researching climate impacts and glacier retreat in the tropical Andes. In February of this year, Dr. Vuille testified in a public hearing held by the New York State's Senate Standing Committee on Environmental Conservation. He noted that sea-level rise is resulting from warming of the ocean and added water mass due to ice melting glaciers and ice sheets in Greenland and West Antarctica. He noted in particular that sea-level rise is not equal everywhere and sea-level rise in the mid-Atlantic and New England coasts are much larger than the global average. He also emphasized that since we have no glaciers in New York State, impacts can seem far away and irrelevant, but glacial melt affects us nonetheless.

So, Dr. Bell, can you describe the indirect impacts of glacial and ice sheet melt in States like New York that I represent that do not have glaciers?

Dr. BELL. Well, thank you very much for that question. I'm also from New York, so—and the ones I'm going to speak of are—actually the nice examples are in New York because—because of sea-level rise, the number of people impacted by Sandy was significantly larger because—because of that in New York it's about 9 inches in the last hundred years the sea-level has—you can see the record right from the Battery. And you can see how many more homes were flooded, how many more people were impacted, and today, we're seeing that those are the homes that are actually being built up along the edge of the Hudson. It now looks like you're in New Orleans. The homes are being elevated right there in Haverstraw. You have homes that you could see in New Orleans.

So that's the kind of impacts we're seeing. You're seeing that we've had Sandy. We impacted far more people, tens of thousands more people than we would have, and now we're responding to it.

Mr. TONKO. Thank you for that. And what more can you tell us about the uneven distribution of sea-level rise across our country? What will sea-level rise look like, for example, on the East Coast versus the West Coast or in New York City versus Washington, D.C.? What are the wide-ranging impacts of sea-level rise?

Dr. BELL. The National Climate Assessment did a beautiful job of laying out those variations and going through the different parts of the U.S. and really explaining the difference. But briefly, each

community has to worry about which ice sheet you're close to. If you're close to an ice sheet, it turns out it doesn't matter as much, so for New England, Greenland—Antarctica matters way more than Greenland, whereas the Representative from Florida is going to see both Greenland and Antarctica full on. So there's the proximity.

Then there's how close you are to ocean currents. That's some of the change we've seen in New England is the warming ocean has impacted New England. And then the Representative from Virginia is seeing the tremendous impacts of local subsidence around Norfolk because you've withdrawn water, so the land is going down at 4 millimeters a year. You add that onto the sea level going up, suddenly, you have a problem.

Mr. TONKO. And to anyone on the panel, what mountainous regions around the world are most at risk, and what adaptation measures can be taken to avoid large flows of environmental refugees?

Dr. PFEFFER. If I could——

Mr. TONKO. Yes, Dr. Pfeffer.

Dr. PFEFFER [continuing]. Address that, there are potential for environmental refugees at sort of both ends of the hydrologic cycle. Let's discuss the Himalayas, for example. Earlier, I mentioned the various geologic hazards that people in the immediate vicinity of glaciers, these high valleys, high density of people in those valleys. As we go downstream, there are people who are very dependent upon runoff from those mountains for crop irrigation, so this goes out of Nepal and into India. And then the people on the coast—and Bangladesh is very often used as the example—that are at risk from sea-level rise.

So everything from geologic hazards to changes in water supply to sea-level rise, each one of those has a population which is put at risk. And as far as mountainous regions where this really matters, certainly the Himalayas, also portions of South America, Alaska is subject to certain risks, but the primary influences there I think are going to be environmental on the changes in water and immediately coastal effects.

But the people I think really in the Indian subcontinent, they're at very high risk, and that is a global problem. It's not just a problem for them, and I think that's probably very clear.

Mr. TONKO. Thank you. Thank you very much.

Look, that concludes, I believe, all who have chosen to ask the witnesses any questions. Before I bring the hearing to a close, I do want to thank this panel. Thank you so much as witnesses for testifying here before the Committee. And I want to thank both our Chair and our Ranking Member for hosting this hearing.

The record will remain open for 2 weeks for additional statements from the Members and for any additional questions the Committee may ask of the witnesses. And we ask that you respond as efficiently as possible.

And then finally, I will say the witnesses are excused, and the hearing is now adjourned.

[Whereupon, at 12:24 p.m., the Committee was adjourned.]

Appendix I

Answers to Post-Hearing Questions

ANSWERS TO POST-HEARING QUESTIONS

Responses by Dr. Richard B. Alley

Answers for the Record to Member Questions
House Committee on Science, Space and Technology

From the hearing
Earth's Thermometers: Glacial and Ice Sheet Melt in a Changing Climate
United States House of Representatives
Room 2318 of the Rayburn House Office Building
10:00 a.m., July 11, 2019

Dr Richard B. Alley*
Pennsylvania State University

*Any opinions, findings, conclusions, or recommendations expressed in this publication are those of the author and do not necessarily reflect those of the Pennsylvania State University, the Intergovernmental Panel on Climate Change, the National Academy of Sciences, or other organizations.

Submitted by Chairwoman Eddie Bernice Johnson

1. What is the biggest knowledge gap that is essential to fill to improve our projections of future sea level rise due to glacial melt?

→The short answer, in my opinion, is that the biggest knowledge gap is the lack of understanding needed to predict possible "tipping point" behavior of Thwaites Glacier, West Antarctica and other such outlets that could rapidly and greatly raise sea level.

→The longer answer is that actionable results are most likely to be supplied from sustained, integrated research that addresses the complex range of issues required to project future sea-level rise due to glacial melt, including efforts to maintain and expand participation by young scientists.

My written testimony briefly outlined the possible tipping-point behavior that could greatly and rapidly raise sea level. An international effort, especially funded by the US National Science Foundation (NSF) and the UK National Environment Research Council (NERC), with contributions from other nations and agencies, is addressing this issue, but in my opinion is small compared to the scale of the challenge and the potential cost of the sea-level rise if Thwaites Glacier collapse proceeds rapidly. I am happy to provide extensive information on this topic if desired, with pointers to broad-based assessments of key unknowns and ways to address them, with as much technical detail as needed. The Scambos et al. (2017) paper cited below is a useful starting point. Simply put, the research community has considerable understanding of the known and unknown unknowns that stand between us and the ability to project whether sea-level rise under strong warming will be two feet, or three feet or four, or more than ten feet by the end of the century, the community is working hard with the available resources, but resource limitations are still reducing our ability to move forward. (I know this is self-serving—I am a member of that community, and various colleagues and I personally have benefitted from the resources already made available—but I believe my assessment is accurate.)

More broadly, though, the key question of ice-sheet tipping points is inextricably coupled to a larger research framework. Allow me to make a few points, which I believe reflect the insights of the community, although I am presenting them as my opinions as an individual:

1) The solutions involve helping young people enter and remain in our field. I am privileged to teach, and so I get to speak to many young people who are interested in helping their country and the world prepare for the future. These bright students can go in any direction they want—they can conduct seismic surveys of great value to oil companies, sift through "big data" in ways that interest tech firms and banking companies, write computer code that could be used almost anywhere in the economy, and more, while communicating accurately and engagingly. Most of these young people will go to industry, finance, and other parts of the economy, but a few of them want to work in the climate-energy-economy nexus to extend our knowledge of climate change and use that knowledge to help people, even though these students expect to take a pay cut

compared to their other options. But, these students express grave doubts about the wisdom of studying climate; they are not confident that the US government and other funding sources are sufficiently committed to this area to support a career. (These reports are anecdotal, and collected off-the-record so I cannot quote names and dates for you, but I have heard these worries repeatedly.)

Our family just toured the amazing "Destination Moon: The Apollo 11 Mission" exhibit from the Smithsonian Institution in partnership with The Museum of Flight in Seattle, a wonderful testament to problem-solving and exploration. Many of the displays highlighted the unsung heroes at home who were essential to the success of the moon landing, including those in Houston: "When Apollo missions began flying in 1968, Mission Control had become a magnet for the best and brightest young engineers in the country." Solving the problems of climate, energy and economy requires getting a few more of today's best and brightest scientists and engineers to help us now, not scaring them away.

2) The solutions are broad-based. NSF is the US lead on studies of Thwaites Glacier now, but the observational and scientific contributions of NASA are essential, ice-sheet changes are tightly coupled to atmospheric and oceanic climate in ways that must involve NOAA, the modeling capability of DOE is increasingly important, the US Army Corps of Engineers has long contributed through their Cold Regions Research and Engineering Lab, the Coast Guard icebreakers have been essential while the 109th Airlift Wing of the New York Air National Guard provides heavy-lift aircraft for deep-field access on ice sheets, and contributions of USGS, the National Park Service, and others are important and can grow. Philanthropic funding has helped us in many ways, and we are deeply grateful for that, but does not in any way replace the broad-based, publicly accountable role of the US Government.

3) The known-knowns are important as well as the less-known or unknown unknowns of tipping-point behavior. If tipping points are not crossed, important uncertainties still remain about mountain glaciers, surface melting in Greenland, and more. The question, below, from Congresswoman Lofgren highlights possible linkages between ice melt and changes in ocean circulation, but those in turn will affect the pattern of sea-level rise by changing the size and location of the places that winds and currents pile up water against the shore or move water away. Coastal planners surely want to know whether they face more than ten feet of sea-level rise or "just" three feet, but they also are very interested in knowing whether that three feet could be two feet or four feet.

4) Research addressing the known-unknowns contributes to understanding the tipping points of the big ice sheets. For example, those of us who started out working on the big ice sheets have relied on work by many people including Professor Pfeffer on the behavior of Alaskan tidewater glaciers; knowledge of the history and mechanisms of rapid ice retreat in coastal locations informs our understanding of possible rapid retreat in Antarctica. For various reasons, iceberg calving is often best studied on Greenland's ice now. Better ways to combine data and models, and continuing improvement in data collected through and beneath the ice, are frontiers where much essential progress can be made.

Again expressing my opinion, I believe that if you pressed most members of the community to pick a single research target, they would choose further research on ice-sheet tipping points. But, I also believe that they would make that recommendation without enthusiasm, because they understand the broad-based nature of the problem and the integrated approach needed to find solutions, surely involving helping students as well as satellites and other research tools.

Scambos, T., R.E. Bell, R.B. Alley, S. Anandakrishnan, D.H. Bromwich, K. Brunt, K. Christianson, T. Creyts, S. Das, R. DeConto, P. Dutrieux, H.A. Fricker, D. Holland, J. MacGregor, B. Medley, J.P. Nicholas, D. Pollard, M.R. Siegfried, A.M. Smith, E.J. Steig, L.D. Trusel, D.G. Vaughan, P.L. Yager. 2017. How Much, How Fast?: A Review and Science Plan for Research on the Instability of Antarctica's Thwaites Glacier in the 21st century. *Global and Planetary Change* **153**, 16-34.

Submitted by Congresswoman Zoe Lofgren (AC-19)

1. Studies suggest that slower Atlantic Ocean circulation would cause colder winters and hotter summers in Europe, change rainfall patterns in the tropics, and pool warmer water along the U.S. East Coast which would contribute to sea level rise and more extreme storms. We've already seen how warmer water off the East Coast fueled extremely destructive hurricanes like Hurricane Maria and Superstorm Sandy.

a. How do melting glaciers affect ocean circulation, and to what extent is research exploring this feedback loop?

The biggest role of melting glaciers is to raise sea level, flooding coasts and also potentially increasing storm surges by putting deeper water closer to buildings along the shore. But, this question does correctly identify a possible role for meltwater in ocean circulation. The most important sites for such influence are in or near the polar regions, in the north Atlantic and in the Southern Ocean, although changes are possible elsewhere.

Ocean circulation is driven in part by small differences in the saltiness of the water affecting the water density, so adding meltwater can indeed affect circulation. The best-known concerns point at the likelihood that freshwater added to the North Atlantic is slowing the rate at which water sinks to the depths there, affecting weather, climate, ecosystems and more. We are moderately confident that there will not be large, rapid and catastrophic changes, but important uncertainties remain despite rapid progress. Key monitoring and research are in place moving forward, but the ocean and the ice are changing rapidly and may get ahead of our learning, so I believe that additional research could be justified strongly, involving data collection today, model-data integration, and further work on the history of this complex and critical system.

Recent attention has focused on related changes in the Southern Ocean. Observational advances have shown us how much we don't know, and more clearly illustrated how tightly coupled the ice, ocean, air and ecosystems are in that vast ocean around Antarctica. Accelerating flow and melting of the Antarctic ice sheet dumping water and icebergs into the Southern Ocean could actually slow warming or cause cooling at the surface far to the south, but allow warmer waters beneath to reach the ice sheet and drive faster melting and ice loss, perhaps crossing the threshold for a tipping point. Deep uncertainty is attached to this possibility, with much research needed to project how this will evolve in a warming world. Again, sustained observations of ongoing changes, data-model integration, and studies of climate history can move understanding forward.

b. Dr. Alley, in your testimony, you discuss how ice sheet melt is causing Earth's rotation to slow down very slightly and likens it to a spinning ice skater sticking out her arms. What are the implications of a slower rotation of the Earth?

The direct implications of changing Earth rotation are relatively small, but the science is important. Experts maintaining precise time must relate our noon-midnight timekeeping on a world with slowing rotation to the exact passage of time, and so must account for changes in the

length of the day, but the technical means of doing so are well-known and functional. The detailed pattern of sea-level rise is actually affected a little by the changes in Earth's rotation, and that effect must be included in projecting future sea-level rise; this is broadly functional, although ongoing research can improve the results.

The point I hoped to make by introducing this topic in my testimony is related to just how much effort the scientific community puts into getting the right answers, how strongly interwoven the threads of knowledge are, and thus how reliable the results are that the community brings forward through assessments to policymakers such as yourself and your Committee. Measurements of growth or shrinkage of ice sheets are made by repeatedly weighing the ice sheets using satellites, by watching the surface elevation of the ice sheets change, and by comparing the snow added and the ice lost by melting and iceberg calving. These measurements agree within the known uncertainties of the measurements, and together give very high confidence that the ice sheets are losing mass. The water from this ice loss goes into the ocean, and the rise of sea level is what is expected from loss of mountain glaciers and ice sheets together with expansion of the ocean as it warms, providing a further check on the different measurement techniques. But, these also make predictions about changes in Earth's rotation, and when scientists check, these predictions are borne out—the different measurement techniques agree with each other, with the measured sea-level rise, and with the changes in Earth's rotation. We have even looked back in time at the record of eclipses as observed by past civilizations because the changing length of day affects those observations, confirming our understanding of the history of sea-level rise and the recent acceleration as human-caused warming melts ice.

You may occasionally hear some critic dismissing scientific knowledge as being uncertain and unreliable. Earth's rotational change is one of many examples showing that the scientific results are confident and reliable. When the scientific community brings forward to policymakers assessed results through the National Academies of Sciences, Engineering and Medicine, or the Intergovernmental Panel on Climate Change, those results rest on an interwoven fabric of evidence, such that even if a weakness were found in one thread, the fabric would remain intact. The occasional caricature of science as resting on one study or one investigator is simply wrong. If Einstein were erased from history our understanding of relativity would not change because so much knowledge has accumulated; we celebrate the pioneers, but rely on their insights only after extensive testing followed by assessment. The reliability of climate science never rests on one study or one scientist but instead on multiple strong lines of evidence.

Responses by Dr. Robin E. Bell

Response to Supplementary Questions: Glacier Melt Hearing Prof. Robin Elizabeth Bell
August 8, 2019

Chairwoman Johnson Question 1 *You have described how the work of understanding changing ice and glacier melt is spread across multiple agencies. Is this effective and if not what changes would you recommend?*

The federal work on changing ice and glacier melt and its impacts is spread across multiple agencies. The scope of this impressive work ranges from fundamental research on how ice sheets work (NSF and NASA), to earth observations from space of how the ice is changing (NASA), to building models that will forecast future change (NSF, DOE, NASA). But the changing ice does not stay at the poles as documented by measurements spanning over a century (NOAA) and decades (NASA). The impacts of glacial melt and changing ice are being observed and quantified along our changing coastlines by the USGS. Simultaneously states, counties, municipalities and many branches of the Federal government including the Army Corps of Engineers, National Park Service, USDA, and Department of Defense, are dealing with the consequences. All these stakeholders are looking to the glacial scientific community for information and projections to help them plan. The National Climate Assessment provides a snapshot of sea level projections and some of what has been done in communities and is a very important resource, but there is no comprehensive plan on how our nation will respond to glacial melt and its impacts on communities.

Now is the time to act. We must develop a strategy to link the rich glacial melt research underway with the needs of the nation especially the agencies and communities now facing accelerating change. A plan on how to holistically bridge this gap is essential. The scientific community knows improving our projections of future ice will melt is essential but a gap still remains between the changing ice community and the changing coastline community. This gap is between global projections and what local communities need to build effective responses. Different communities have different needs. We must develop a plan to respond to changing sea level in the coming century even as we work to constrain the actual numbers. Preparing for sea level rise is like preparing for an earthquake. Our communities benefit greatly from planning before an earthquake even if we do not know exactly when the earthquake will come or how large it will be. Melting glaciers, changing ice and changing coastline require the same planning. I believe it is absolutely essential to create a better framework to prepare the change we know is coming. A major study by the National Academies of Sciences, Engineering, and Medicine linking effective preparations for changing sea level with the science of the changing ice, warming oceans and changing coastlines could help identify gaps in our knowledge and build synergies that will better prepare communities at risk. A study would encompass an inventory of the existing programs, strategies for response, and develop frameworks for communities, agencies and individuals to respond. This study would strengthen the connectivity among the experts working all the facets of the issue to those responsible for planning and mitigation efforts. Such a study would build on the recent National Climate Assessment and strengthen the next. A congressional recommendation for an Academy study to develop a robust response to changing ice and changing coastlines would be a major service to all.

Chairwoman Johnson Question 2 *Does the National Climate Assessment play a role in preparing our coastal communities for the impacts of glacial melt we can anticipate in the coming decades and if so what is that role?*

Every four years, the National Climate Assessment presents the state of the art of the science behind sea level rise in the climate science section and evaluates the impacts and adaptation both across sectors and for all the regions of the U.S. It is an illustration of how the science and our communities can work together to quantify the risks to changing climate and share impacts and responses. This structured national process is as essential to planning for our future as is the census – the Founding Father's just has not considered climate change yet. With this structured review of the science agencies, communities, and individuals have an opportunity to understand what is happening, how it is impacting communities and what sort of solutions are being developed. A remarkable resource, the National Climate Assessment plays an important role in making it identifying the impacts of climate with detail and clarity.

Chairwoman Johnson Question 3 *What is the biggest knowledge gap that is essential to improve our projections of future sea level rise due to glacial melt?*

The biggest gap that is essential to improve our predictions of future sea level rise is our knowledge of how Antarctica will change in the future. We know where it is changing most quickly, and we see recently accelerating hot spots. What we do not know is how the warming ocean is reaching the ice — the trigger that has started the speedup — and we do not know what will happen when the air warms up and the surface of Antarctica begins to look like Greenland. Antarctica impacts the most people in the U.S. and globally, and it the biggest wild card in our sea level rise projections. Addressing these questions will require major focused fieldwork and probably large scale international collaborations. Antarctica is simply hard to work in. It is big, cold and remote. Even though it is warming quickly it is still inhospitable and a challenging place to work.

Chairwoman Johnson Question 4 *Given the understanding that sea levels will be different for each community due to gravitation fingerprinting and other local factors, how do you think we can make personal for communities the connections between global changes in ice with the changes we will see here in the U.S. and around the globe?*

When I began to realize how complex sea level rise it struck me that it was essential to move past making isolated statements of sea level rise from individual ice sheets or even a global mean. Who knew how complex and beautiful our planet could be? Communities need to know everything from where the ice is melting, to whether their region is sinking or rising and how the ocean is warming. An effective approach can frame how sea level has changed in the past, considering what range of change a community will see in the future and will look at the assets close to sea level. It is essential to convey that we can develop a plan, work to minimize the change and mitigate the uncertainties and surprises around sea level rise.

Chairwoman Johnson Question 5 *In your research field, what is the importance of convergent research? How will it help the U.S. address glacial melt and foster resilience in our coastal communities?*

Convergent science emerged from the biomedical science where advances on complex problems are only possible with a broad team of experts working closely together. The ROSETTA-Ice team working on Antarctica's Ross Ice Shelf, which I lead, illustrates the power of the convergent approach. The ROSETTA-Ice team of geologists, geophysicists, oceanographers, atmospheric scientists, engineers and glaciologists produced novel insights because it brought such diverse expertise to the table. The team discovered that the geologic framework of the Ross Sea is preventing warm ocean water from reaching the grounding line of the ice shelf but discovered the sensitivity to change is at the ice shelf front where local winds can bring in heat. The next 100 years must focus on convergent collaborations to advance our understanding on how ice sheets change. This type of science is hard. It is easier to look at a problem from the safe base of your knowledge but reaching across disciplines can be rewarding and provide key insights. Our earth and the ice our planet does not care about disciplines. More broadly convergent changing ice research will link the changing ice in the poles to our impacted coastlines and communities. Connecting our understanding of changing ice to changing coastlines is beginning but must expand in the coming decade. Each community will need an individual projection that includes ocean warming, melting ice, changing land levels and coastal dynamics. It is essential that we educate next generation convergent changing ice and changing coastlines scientists. This convergent workforce will actively engage with the entire breadth of scientists, engineers, social scientists and policymakers who are essential to providing communities with the information they need to plan their infrastructure investments and land use in the coming century

Congresswoman Hill Question 1a Dr. Bell can you expand on your role as Chair of the National Academies review of the National Climate Assessment?

Peer-review of science is one of the gold standards of the modern scientific process. I served as the chair of a panel of 16 experts who, through the National Academies of Sciences, peer-reviewed the National Climate Assessment. I lead the committee through the process of reviewing all the chapters and identifying issues within the document. As we reviewed the report we considered whether the information was broadly accurate and represented the current state of understanding at a level that would inform decision-making and be accessible to a broad, non-technical audience.

The National Climate Assessment is a key document that communicates how climate change is occurring in our backyards and, that together with strategies informed by research, our communities can be resilient. In addition to sea level rise, the report covers human health and community well-being, the built environment, businesses and economies, ecosystems and natural resources. Hundreds of experts from federal, state, and local governments, academia, non-government organizations, and the private sector assembled the report. The Assessment process also gathers from community engagement events and public comment. By bringing

together such a deep array of perspectives on climate change impacts and resilience, the effort represents a tremendous large-scale collaboration. Because the climate change report highlights adaptation and mitigation efforts, it serves as a valuable resource for many. We delivered our report (that itself was peer reviewed through the National Academy process) to the National Climate Assessment team and they addressed the issues we raised. We did ask they increase discussion of of adaptation and mitigation efforts emerging as these are critical to communities as they address the change.

Reading the 1500 pages on the impact of the climate on our country, from the Virgin Islands to the Pacific territories, gave me and the committee a profound understanding of the scope of climate change that our nation is already experiencing. Melting glaciers and changing sea level is one of the strongest signals of the change across the U.S. but the change is right in our backyards.

Congresswoman Hill Question 1b What is the importance of this document to understanding the consensus in this field?

The National Climate Assessment provides both the state-of-the art science across the U.S. and its territories and a detailed tracing of the source of the information. The National Climate Assessment presentation of the data (such as temperature change) is at such a detailed level it is possible to see how much temperature has changed in individual communities. Some news outlets have developed useful ways to look up change in their community by entering a zip code. Here we are seeing the sharing of the NCAA again and again. The document not only demonstrates the consensus of scientific knowledge but also allows citizens to see where they and their communities fit into the patchwork of change and impacts

Congresswoman Hill Question 1c What is its importance to our understanding of climate science and its effects on society more broadly?

The National Climate Assessment is a very powerful process that brings together climate experts, the state of the art data and projections to communities who are both witnessing and planning for this change. Breaking out the impacts and adaptations by region is a powerful tool for communities and citizens to understand how climate change is evolving around them. Often individuals have a difficult time visualizing the change greenhouse gases (invisible) and a relatively small temperature rise can mean. However, the evidence of the longer growing seasons—up to 40 days longer in California—is a powerful way to advance the understanding of climate impacts. People's daffodils and crocuses are coming up sooner in the spring and their tomatoes and basil are lasting longer in the fall. Seed companies have remapped hardiness zones in their catalogues. Climate change is effecting what fish people pull from the ocean, because cold loving fish shift north in the Atlantic while in the Gulf of Mexico cold loving fish are being replaced by warmer (less tasty) species. The National Climate Assessment documents how climate change is impacting local communities from the plants they grow to the take at the end of their fishing lines. No other efforts document local effects comprehensively. This process enables communities to understand the change and begin to develop resilience plans.

Responses by Dr. Twila A. Moon

Questions for the record for the

House Committee on Science, Space, and Technology

for the hearing on

Earth's Thermometers: Glacial and Ice Sheet Melt in a Changing Climate

provided on August 2, 2019 by

Twila Moon, PhD
Research Scientist
National Snow and Ice Data Center
Cooperative Institute for Research in Environmental Sciences
University of Colorado Boulder

Question 1) Given the importance of glacial meltwater as a freshwater supply for certain animals and plants, such as salmon in the Pacific Northwest, what are the implications of increased glacial melt rates to these species and ecosystems?

Response:

As the climate warms, glacier melt is increasing. In a glacierized basin, glacier melt makes up some percentage of the stream and river flow, with that contribution changing through the seasons and depending on weather conditions. As the glaciers begins to melt more, there can initially be an increase in glacier melt input (potentially lasting across many years). However, as the glacier area continues to decline, there is less ice remaining to melt and glacier runoff will decrease. The result is that ecosystems must respond to complex variability that can include both increases and decreases in glacier meltwater input, influencing for example temperature, turbidity, and total stream flow amount.

The implications of increased glacial melt for salmon, other species, and the ecosystems they depend on varies by location and in some cases is not known at all. For example, in the Pacific coastal temperate rainforest of Alaska, scientists foresee possible positive and negative consequences. Streams in this area with particularly high glacier meltwater content can be too cold for salmon rearing and overwintering. So reduction in glacier melt in some streams may make them better salmon habitat. However, water temperatures that are too warm in the summer because glacier melt input has dropped too low may hurt salmon productivity. It may be that different salmon species are helped or hurt by glacier loss in specific locations. Further discussion of the Pacific coastal temperate rainforest ecosystem and connections between ecosystem and glacier loss can be found in O'Neel et al. (2015). For many other locations, the impacts of losing glacier ice are more clearly negative. For example, the meltwater stonefly *Lednia tumana*, which is endemic to Waterton-Glacier International Peace Park in Canada and the U.S. and has been petitioned for listing under the U.S. Endangered Species Act, lives in a restricted range near glaciers, snowfield, and springs, and changes in habitat from glacier loss –

including increasing water temperatures, changes in the timing and amount of glacial meltwater input, changes in dissolved oxygen levels, and alteration of stream flow character – are expected to negatively impact this species (e.g., Muhlfeld et al. 2011). Reductions in ecosystem health in the base of the food chain is likely to negatively impact other dependent species.

Overall, however, it is my assessment that research into links between glacier loss and dependent plants, animals, and ecosystems is limited. This is particularly worrisome given that glaciers are changing rapidly in parallel with other potentially large changes, such as increased temperature and changing precipitation. It is likely that multiple climate impacts will be at play in any given ecosystem, and it will be important for research to consider connections across human, biological, and physical systems.

References:

Muhlfeld, C. C., J. J. Giersch, F. R. Hauer, G. T. Pederson, G. Luikart, D. P. Peterson, C. C. Downs, and D. B. Fagre (2011), Climate change links fate of glaciers and an endemic alpine invertebrate, *Climatic Change*, *106*(2), 337–345, doi:10.1007/s10584-011-0057-1.

O'Neel, S. et al. (2015), Icefield-to-Ocean Linkages across the Northern Pacific Coastal Temperate Rainforest Ecosystem, *BioScience*, *65*(5), 499–512, doi:10.1093/biosci/biv027.

Question 2) Local communities that rely on glacial streams for water for drinking, industry, or agriculture may be impacted by drought in the future as glacial runoff diminishes. What are the impacts of melting glaciers on local and global drinking water supplies in the U.S. and around the world?

Response:

There is no doubt that worldwide loss of glacier ice will impact water supplies around the globe. First, as mentioned above, it is important to recognize that increasing glacier melt can produce both an initial bump in water availability and a subsequent, long-lasting decline. Glacier meltwater is also most available during summer, when air temperatures are above freezing, and is therefore particularly important as a water source from spring through fall.

Depending on the local or regional environment, glacier melt can be vital as a source for drinking water, irrigation and agricultural water, and hydropower and other industry. For example, in south Asia (including Pakistan, Afghanistan, Tajikistan, Turkmenistan, Uzbekistan, and Kyrgyzstan), 800 million people depend on water resources served by Himalayan glaciers. The role of glacier melt in this area is particularly strong during drought years, when glacier melt offsets the low water input from rain. Projected future declines in glacier area across the Himalaya will impact water availability, adding another stressor to a region that already grapples with geopolitical tensions (for a full discussion of this case study see Pritchard (2019)). These challenges are not unique to south Asia (e.g., Carrey et al. 2017). In South America, glaciers provide valuable water resources for many countries. In Bolivia, for example, the capital city of La Paz is growing rapidly, but is also dependent on water resources that include

substantial glacier meltwater. During 1963-2006, glaciers contributed 14% of La Paz's water resources in the wet season and 27% in the dry season. While increased glacier melt during the latter period of this 1963-2006 record had so far offset the loss of 50% of the glacier area, eventual complete loss of the region's glaciers will cause a 9% reduction in total runoff during wet seasons and 24% reduction during the dry season (for further discussion on this case study see Soruco et al. (2015)). Even in the United States, glacier meltwater can be an important local source of runoff, for example in the Pacific Northwest (Nolin et al. 2010). It is my personal opinion, however, that the wide-ranging impacts of glacier loss within the United States are understudied.

References:

Carey, M., O. C. Molden, M. B. Rasmussen, M. Jackson, A. W. Nolin, and B. G. Mark (2017), Impacts of Glacier Recession and Declining Meltwater on Mountain Societies, *Annals of the American Association of Geographers*, *107*(2), 350–359, doi:10.1080/24694452.2016.1243039.

Nolin, A. W., Phillippe, J., Jefferson, A., and Lewis, S. L. (2010), Present-day and future contributions of glacier runoff to summertime flows in a Pacific Northwest watershed: Implications for water resources, *Water Resour. Res.*, 46, W12509, doi:10.1029/2009WR008968.

Pritchard, H. D. (2019), Asia's shrinking glaciers protect large populations from drought stress, *Nature*, 1–20, doi:10.1038/s41586-019-1240-1.

Soruco, A., Vincent, C., Rabatel, A., Francou, B., Thibert, E., Sicart, J., & Condom, T. (2015), Contribution of glacier runoff to water resources of La Paz city, Bolivia (16° S), *Annals of Glaciology, 56*(70), 147-154, doi:10.3189/2015AoG70A001.

Responses by Dr. Gabriel J. Wolken

The Impacts of Glacier Change in Alaska

Testimony of

Gabriel J. Wolken
Alaska Division of Geological & Geophysical Surveys
and University of Alaska Fairbanks

For the hearing entitled

Earth's Thermometers:
Glacial and Ice Sheet Melt in a Changing Climate

Before the

Committee on Science, Space, and Technology
United States House of Representatives
Room 2318, Rayburn House Office Building

11 July 2019

Questions for the Record

1. Given that Alaska has the highest number of glaciers out of any state in the United States and those glaciers are melting at substantial rates, what are the projected economic impacts of glacial melt to Alaska's economy, and does the state of Alaska have any adaptation or mitigation strategies for addressing loss of its glaciers?

Response:

The rapid and sustained melting of glaciers in Alaska will negatively impact Alaska's economy.

As glaciers in Alaska continue to lose mass, a rise in sea level will lead to more lowland flooding and coastal erosion that damages or destroys infrastructure, threatens public safety, security and livelihoods, and increases the financial pressure on economies at all levels.

By the end of this century, glaciers in Alaska are projected to lose about 30-50% of their current total mass. This will have a large negative impact on runoff volume, modifying the chemical composition and decreasing the temperature of many of the streams that support key fisheries, including salmon, and the billion dollar fishing industry on which many Alaskans depend. Changes in runoff will also affect hydropower production. As glacier mass loss continues, the storage capacity of snow and ice will eventually diminish, which is projected to have significant impacts on future water resource availability, even in basins with low glacier coverage. This will lead to an economic burden on the communities in Alaska that currently rely on hydropower generation from glacierized catchments.

Alaska's tourism industry may also be negatively impacted by continued glacier mass loss. Tourism in Alaska is a key economic sector that supports one out of every ten jobs in the state, delivers 1.5 billion dollars in labor income, and has an economic impact of 4.5 billion dollars. Recent glacier retreat has already been significant in Alaska, making it more difficult for tourists to access glaciers using Alaska's road system, and tourism operators are being forced to modify the manner in which their clients experience glaciers.

Few adaptation and mitigation strategies have been implemented, or developed for addressing the impacts of glacier melt in Alaska. The State of Alaska, and some municipalities, have updated the language in their hazard mitigation plans to include glacier-related hazards associated with continued glacier mass loss, and exposure to extreme runoff events. Some communities are experiencing the impacts in a very direct way. For instance, the City of

Valdez has spent nearly 6 million dollars in adaptation responses to glacier lake outburst floods resulting from the rapid retreat of Valdez Glacier. In other parts of Alaska, lowland flooding and coastal erosion, resulting in part from rising sea level, are forcing communities and governments to evaluate their adaptive capacities to these rapidly changing conditions; in some locations, relocation is the only option.

Alaska will continue to experience the effects of climate change more than most states in the U.S. because of its high northern latitude location and abundance of snow, ice and permafrost. Alaska is warming at twice the rate as the rest of the U.S., and rapid changes in the snow, ice, and permafrost will increase the occurrence, and likely the magnitude, of hazards throughout the state (e.g., avalanches, floods, erosion, slope instability, glacier collapses, and glacier lake outburst floods). If these hazards are not properly assessed and monitored, and if the appropriate adaptive measures are not considered, these cryosphere hazards will have a damaging effect on Alaska's communities and infrastructure, as well as on the security and livelihoods of Alaskans.

Responses by Dr. W. Tad Pfeffer

Response to question from Rep. J. McNerney (CA-09): "*You mentioned in your testimony the fact that the Arctic gyre is known to trap air. Can you describe how this phenomenon would impact climate interventions, such as the injection of cloud aerosols, in the Arctic, and vice versa?*"

My expertise does not extend to arctic climate dynamics and especially not to questions of geoengineering, but I can provide some background to your question and suggest other researchers who are expert in these areas.

The presence of a circumpolar closed low-pressure system has been known since the 19th century, but the presence and significance of an upper-level (upper tropospheric – stratospheric) cyclonic circulation was not detected until the mid-1950s when high-altitude weather reconnaissance missions were flown from Alaska into the high Arctic (Shaw, 1995). Later analysis of data acquired during these flights help to identify the mechanism responsible for "Arctic Haze," episodes of reduced visibility in the arctic first described early in the mid-18th century, at the outset of the industrial revolution. This haze is caused by scattering and attenuation of light by small aerosol particles, and from the work of Shaw and others has been shown to originate predominantly from high-latitude coal burning industries in Eurasia. The 1995 Shaw paper (PDF copies of this and other papers accompanies this response) gives a good summary overview of the Arctic Haze phenomenon and what it reveals about arctic atmospheric circulation.

Regarding the climate-change implications of arctic atmospheric circulation, probably the most important aspect is the changing strength, position, and mobility of the upper level arctic jet and its effects on mid-latitude weather and climate.



The arctic jet is an upper level band of strong westerly wind that separates the cold arctic air mass to the north from the warmer mid-latitude air to the south (see the accompanying graphic). The jet does not move in a simple circular pattern from west to east at a fixed latitude (as shown in the "stable polar vortex" in the graphic), but wanders in a looping pattern (as shown in the "wavy polar vortex" in the graphic).

These looping waves tend to wander about fixed average positions on various time scales ranging from synoptic (i.e. weather systems) to seasonal (winter vs. summer), but also appear to be moving and weakening in response to climate change forcings. Seasonal changes in the position of the polar jet cause familiar regular changes in seasonal climate (e.g. in New England, the transition from hot/humid weather in July to cool/dry weather in August), while snoptic-scale changes cause other familiar phenomena like cold incursions into the Midwest in the winter (the "Alberta Clipper"). Recent anomalous events like the January 2019 cold outbreak in central USA are manifestations of a polar jet changing in new and unexpected patterns. Climate change appears to be altering the dynamics of the arctic jet (and arctic circulation generally), and more anomalous weather and seasonal patterns may be expected in the future. I have included some papers covering this issue(Chen et al, 2914; Zhang et al; 2016), and recommend Dr. Jennifer Francis (Woods Hole Institute) as an expert in this subject.

I can offer no general information or advice regarding geoengineering and arctic climate beyond noting that geoengineering approaches are being discussed (see Caldeira and Wood, 2008; and Dykema et al, 2014). I recommend Prof. Alan Robock (Rutgers University) as an expert in this subject.

Further reading:

Arctic Haze entry in Wikipedia (https://en.wikipedia.org/wiki/Arctic_haze)

Recommended Experts:

Arctic climate and polar vortex: Dr. Jennifer Francis, Woods Hole Research Center, Woods Hole, MA (jfrancis@whrc.org)

Geoengineering: Prof. Alan Robock..Rutgers University (robock@envsci.rutgers.edu)

References:

Caldeira, K., & Wood, L. (2008). Global and Arctic climate engineering: numerical model studies. *Philosophical Transactions of the Royal Society A: Mathematical, Physical and Engineering Sciences*, *366*(1882), 4039-4056.

Cohen, J., Screen, J. A., Furtado, J. C., Barlow, M., Whittleston, D., Coumou, D., & Jones, J. (2014). Recent Arctic amplification and extreme mid-latitude weather. *Nature geoscience*, *7*(9), 627.

Dykema, J. A., Keith, D. W., Anderson, J. G., & Weisenstein, D. (2014). Stratospheric controlled perturbation experiment: a small-scale experiment to improve understanding of the risks of solar geoengineering. *Philosophical Transactions of the Royal Society A: Mathematical, Physical and Engineering Sciences*, *372*(2031), 20140059.

Francis, J. A., & Vavrus, S. J. (2012). Evidence linking Arctic amplification to extreme weather in mid-latitudes. *Geophysical Research Letters*, *39*(6).

Shaw, G. E. (1995). The Arctic haze phenomenon. *Bulletin of the American Meteorological Society*, *76*(12), 2403-2414.

Zhang, J., Tian, W., Chipperfield, M. P., Xie, F., & Huang, J. (2016). Persistent shift of the Arctic polar vortex towards the Eurasian continent in recent decades. *Nature Climate Change*, *6*(12), 1094.

Appendix II

Additional Material for the Record

AGU PUBLICATIONS

Geophysical Research Letters

RESEARCH LETTER
10.1002/2017GL073485

Key Points:
- Dissolved black carbon (DBC) concentrations across the global cryosphere are low, with the exception of snow and surface waters near local sources
- Samples collected from the Greenland Ice Sheet were enriched in highly condensed DBC, relative to other samples from the global cryosphere
- DBC composition across the cryosphere is influenced by photodegradative processing and combustion conditions under which it was formed

Correspondence to:
A. L. Khan,
alia.khan@colorado.edu

Citation:
Khan, A. L., S. Wagner, R. Jaffe, P. Xian, M. Williams, R. Armstrong, and D. McKnight (2017), Dissolved black carbon in the global cryosphere: Concentrations and chemical signatures, *Geophys. Res. Lett.*, *44*, 6226–6234, doi:10.1002/2017GL073485.

Received 21 MAR 2017
Accepted 30 MAY 2017
Accepted article online 1 JUN 2017
Published online 27 JUN 2017

©2017. American Geophysical Union. All Rights Reserved.

Dissolved black carbon in the global cryosphere: Concentrations and chemical signatures

Alia L. Khan[1,2], Sasha Wagner[3,4], Rudolf Jaffe[3], Peng Xian[5], Mark Williams[6], Richard Armstrong[1], and Diane McKnight[2]

[1]Department of Civil and Environmental Engineering and the Institute of Arctic and Alpine Research, University of Colorado Boulder, Boulder, Colorado, USA, [2]National Snow and Ice Data Center and Cooperative Institute for Research in Environmental Sciences, University of Colorado Boulder, Boulder, Colorado, USA, [3]Southeast Environmental Research Center and Department of Chemistry and Biochemistry, Florida International University, Miami, Florida, USA, [4]Skidaway Institute of Oceanography, Marine Sciences Department, University of Georgia, Savannah, Georgia, USA, [5]Aerosol and Radiation Section of the Marine Meteorology Division, Naval Research Laboratory, Monterey, California, USA, [6]Department of Geography and the Institute of Arctic and Alpine Research, University of Colorado Boulder, Boulder, Colorado, USA

Abstract Black carbon (BC) is derived from the incomplete combustion of biomass and fossil fuels and can enhance glacial recession when deposited on snow and ice surfaces. Here we explore the influence of environmental conditions and the proximity to anthropogenic sources on the concentration and composition of dissolved black carbon (DBC), as measured by benzenepolycaroxylic acid (BPCA) markers, across snow, lakes, and streams from the global cryosphere. Data are presented from Antarctica, the Arctic, and high alpine regions of the Himalayas, Rockies, Andes, and Alps. DBC concentrations spanned from 0.62 μg/L to 170 μg/L. The median and (2.5, 97.5) quantiles in the pristine samples were 1.8 μg/L (0.62, 12), and nonpristine samples were 21 μg/L (1.6, 170). DBC is susceptible to photodegradation when exposed to solar radiation. This process leads to a less condensed BPCA signature. In general, DBC across the data set was composed of less polycondensed DBC. However, DBC from the Greenland Ice Sheet (GRIS) had a highly condensed BPCA molecular signature. This could be due to recent deposition of BC from Canadian wildfires. Variation in DBC appears to be driven by a combination of photochemical processing and the source combustion conditions under which the DBC was formed. Overall, DBC was found to persist across the global cryosphere in both pristine and nonpristine snow and surface waters. The high concentration of DBC measured in supraglacial melt on the GRIS suggests that DBC can be mobilized across ice surfaces. This is significant because these processes may jointly exacerbate surface albedo reduction in the cryosphere.

Plain Language Summary Here we present dissolved black carbon (DBC) results for snow and glacial melt systems in Antarctica, the Arctic, and high alpine regions of the Himalayas, Rockies, Andes, and Alps. Across the global cryosphere, DBC composition appears to be a result of photochemical processes occurring en route in the atmosphere or in situ on the snow or ice surface, as well as the combustion conditions under which the DBC was formed. We show that samples from the Greenland Ice Sheet (GRIS) have a distinct molecular chemical signature, consistent with deposition of BC from Canadian wildfires occurring the week before sampling. The concentration range observed in this global cryosphere study indicates significant amounts of DBC persist in both pristine and human-impacted snow and glacial meltwater. Our results are significant for understanding the controls on meltwater production from glaciers worldwide and the feedbacks between combustion sources, wildfires, and the global cryosphere. Wildfires are predicted to increase due to climate change, and albedo cannibalism is already influencing meltwater generation on the GRIS. Anticipated longer summer melt seasons as a result of climate change may result in longer durations between snowfalls, enhancing exposure of recalcitrant DBC on snow/ice surfaces, which could further exacerbate surface albedo reduction in the cryosphere.

1. Introduction

Many inherent challenges remain in quantifying and predicting melt of polar ice sheets and glaciers. In particular, the complex influence of light-absorbing aerosols is not well understood [*Bond et al.*, 2013]. Biomass burning and fossil fuel combustion are both sources of black carbon (BC) to the cryosphere [*Fellman et al.*, 2015]. Organic matter from these sources can be stored in glaciers for millennia [*Hood et al.*, 2009, 2015; *Stubbins et al.*, 2012a] and mobilized during glacial melting [*Hodson*, 2014]. A study on the

AGU Geophysical Research Letters 10.1002/2017GL073485

Greenland Ice Sheet (GRIS) attributed two widespread melting events (1889 and 2012) to BC produced from Northern Hemisphere wildfires [*Keegan et al.*, 2014]. This attribution was based on higher BC and ammonia concentrations in ice lenses in firn cores, along with air mass back trajectory analysis; however, direct chemical characterization of the BC was not obtained. Once deposited, BC aerosols can be solubilized and transported in the aqueous phase as dissolved black carbon (DBC) [*Dittmar*, 2008]. In Alaskan glacier rivers, DBC was interpreted as being sourced from atmospheric deposition of anthropogenic combustion products [*Ding et al.*, 2014a]. In Antarctica, DBC from wildfires in preindustrial eras has accumulated over millennia in the saline bottom waters of closed-basin lakes; the DBC in the bottom waters has a more polycondensed chemical signature in comparison to DBC in surface waters susceptible to influence from current local anthropogenic point sources of BC [*Khan et al.*, 2016]. Therefore, atmospheric deposition of both natural and anthropogenic BC aerosols represents an important source of DBC to remote areas of the cryosphere.

DBC is quantified and characterized using the benzenepolycarboxylic acid (BPCA) method, which produces individual BPCA molecular markers upon the oxidation of condensed aromatic structures [*Dittmar*, 2008]. The condensed aromaticity of DBC can be inferred from the relative proportion of produced BPCAs [*Schneider et al.*, 2010; *Abiven et al.*, 2011]. The degree of aromatic condensation for particulate BC is primarily a function of pyrolysis temperature, and links between BPCA composition and pyrogenic source are not always clear [e.g., *Schneider et al.*, 2010; *Mcbeath et al.*, 2013a, 2013b; *Wiedenmeyer et al.*, 2015]. In addition, DBC molecular signatures are altered via photodegradation, either en route or in situ, changing BPCA composition and obscuring potential links to its pyrogenic source [*Schneider et al.*, 2010; *Ziolkowski and Druffel*, 2010; *Stubbins et al.*, 2012b; *Ward et al.*, 2014]. Although BPCA composition cannot be used to directly infer the pyrogenic source of DBC, we can use them to investigate potential biogeochemical drivers of the quality of DBC which persists in cryospheric environments. In this study, we hypothesize that a more condensed DBC signature will be characteristic of samples for sites with freshly deposited BC and limited time for photodegradation, while DBC enrichment in less condensed aromatics will be characteristic for sites where the DBC may have been exposed to solar radiation for long durations of time either in the atmosphere or on the snow/ice surface. In terms of sites with freshly deposited BC, we investigated sites on the GRIS where collection occurred shortly after Canadian wildfires. We also investigated sites where continuous inputs of local anthropogenic sources of BC may lead to a fresher signature. In addition to the GRIS, an area known to be impacted by wildfire-derived pyrogenic carbon, we included other polar regions with high solar exposure, which are exposed to continuous sunlight almost 4 months per year, as well as high-altitude mountain sites. Sampling locations in this study are largely remote, experience extended lengths of sunlight exposure, and receive diverse inputs of atmospheric BC from both natural and anthropogenic combustion sources. As such, our overall hypothesis is that in the cryosphere, degradative processing during DBC transit in the environment, as well as the combustion conditions under which the DBC was formed, are important drivers of the DBC BPCA profile across the cryosphere.

Here we assess variation in DBC content and composition in samples that represent the typical global cryosphere, as well as sites with known local sources of DBC (Figure 1: map). Data are presented from glacial melt systems in Antarctica, the Arctic (GRIS and Svalbard), and high alpine regions of the Himalayas, Rocky Mountains, Andes, and Alps. Snow samples are from the Rocky and Andes Mountains, as well as the Arctic. Samples from the Norwegian Arctic were collected on Svalbard, including around an active coalmine and coal burning power plant, fueling the largest settlement, Longyearbyen. Sites are categorized as "pristine" (*P*; >5 km from a fuel combustion source) and "nonpristine" (NP; <5 km from a fuel combustion source). To support the interpretation of the GRIS results, we determined biomass burning smoke aerosol optical thickness (AOT) and air mass transport from the Navy Aerosol Analysis and Prediction System (NAAPS) over several days in the week before the samples were collected.

2. Methods

Water samples were obtained that represent the diverse aquatic environments of the cryosphere. The lakes and streams sampled include supraglacial systems found on the surface of glaciers, systems fed directly by glacial melt and/or snow melt, as well as proglacial lakes, which form at the tongue of glaciers. There are also surface water ponds included from the McMurdo Dry Valleys (MDVs), including DL-Hoare Pond (DLH Pond), latitude: −77.622901916504, longitude: 162.902999877930, which is adjacent to Lake

AGU Geophysical Research Letters 10.1002/2017GL073485



Figure 1. Map of global distribution of sample sites overlaid on a NASA Visible Earth image. Data are presented from glacial melt systems in Antarctica, the Arctic, and the Himalayas. Snow samples are from the Rocky and Andes Mountains, as well as the Arctic.

Hoare: http://www.mcmlter.org/content/dirty-little-hoare-pond. Lake Hoare was named for physicist Ray Hoare, of the eighth Victoria University Expedition (1963–1964). Additional samples were collected at Cape Royds in Antarctica. Regionally, the samples are divided into Arctic, Antarctic, and Alpine. The Arctic data set is mostly composed of samples from the island of Svalbard in the Norwegian Arctic, as well as two samples from the GRIS, one fresh snow and one supraglacial melt. Antarctic samples were collected from the MDV and Cape Royds. Alpine samples are from snow and snowmelt in the Colorado Rocky Mountains, supraglacial melt from the Ngozumba Glacier, the longest glacier in Nepal located in the Gokyo Valley of the Nepalese Himalayas, snow and glacier-fed streams in the central Chilean Andes, and a glacier-fed stream from the Mer de Glace in the French Alps.

Water and snow samples were collected in acid-rinsed and precombusted amber glass bottles or acid-rinsed high-density polyethylene (HDPE) bottles. Snow was melted at room temperature in precleaned acid-rinsed Nalgene buckets. All samples were filtered on precombusted glass fiber filters (Whatman GF/F, pore size: 0.7 μm), acidified to pH = 2 with concentrated HCl (analytical grade), and stored at 4°C until analysis. For all samples, a 60 mL aliquot was poured into acid-rinsed and precombusted amber glass bottles and analyzed for dissolved organic carbon on a Shimadzu TOC-V-CSN with a detection limit of 0.07 mg C/L.

DBC (<0.7 μm) was measured using the BPCA method [*Dittmar*, 2008; *Dittmar et al.*, 2008] and optimization for freshwater DBC [*Ding et al.*, 2014b]. This method is based on the oxidation of polycondensed DBC structures to BPCAs with three to six carboxylic acid groups (B3CA to B6CA). The BPCA method was applied to the dissolved organic matter (DOM) isolated by solid-phase extraction (SPE). First, a SPE technique [*Dittmar*, 2008; *Dittmar et al.*, 2008] was applied to all samples using Bond Elut PPL cartridges, composed of a styrene-divinylbenzene polymer solid phase. SPE efficiency for marine samples is typically ~45% and ~60% in freshwater [*Dittmar et al.*, 2008]. In this study we measured SPE efficiency on eight samples (five Antarctic surface waters (37%, 34%, 46%, 50%, and 67%), a glacier-fed stream from the Andes (34%), and two snow samples (47% and 73%). The average efficiency of these eight samples was 48% ± 15%, with a range from 34% to 73%.

The PPL cartridges were transported frozen to Boulder, CO, and stored in a freezer. The PPL cartridges were transported to Miami, FL, and completely dried under ultrahigh-purity nitrogen gas. The PPL cartridges were then eluted with 10–20 mL of MeOH until the eluent was colorless. Aliquots of MeOH were then

AGU Geophysical Research Letters 10.1002/2017GL073485

quantitatively transferred to 2 mL glass ampules and evaporated to dryness under a stream of ultrahigh-purity nitrogen. The dried DOM extract was redissolved in concentrated nitric acid (65%) before ampules were sealed and oxidized in sealed glass ampules in a programmable oven for 6 h at 160°C in order to produce BPCAs [*Ding et al.*, 2014b]. They were then dried in a sand bath under ultrahigh-purity nitrogen gas. BPCAs were then redissolved in the mobile phase buffer, separated, and quantified on a Sunfire C18 reversed phase column (3.5 μm, 2.1 × 150 mm; Waters Corporation) by an HPLC system coupled with a photo diode array detector [*Dittmar*, 2008; *Ding et al.*, 2012]. DBC concentrations were calculated from B3CA to B6CA concentrations based on a previously reported algorithm [*Dittmar*, 2008]. B6CA was excluded from BPCA proportion analysis due to its low signal and low resolution in most samples. Analytical replicates were measured in triplicate with <10% standard deviation. Sample duplicates were collected for 5% of the samples and fell within this <10% standard deviation.

The degree of condensed aromaticity of DBC in each sample was estimated based upon the BPCA distribution [*Glaser et al.*, 1998; *Ding et al.*, 2014b] using the ratio of (B3CA + B4CA)/B5CA. Although a complete understanding of all biogeochemical factors that influence BPCA ratios remains elusive, the ratios can be used to provide preliminary assessments of the environmental dynamics of DBC. For instance, photodegradation can significantly alter BPCA composition, reducing the overall condensed aromaticity of the DBC pool once it reaches surface waters [*Stubbins et al.*, 2012b; *Ward et al.*, 2014]. As such, the DBC signature of samples exposed to solar radiation over long durations may indicate extensive photodegradation, resulting in low signals of B6CA. BPCA ratios can also vary significantly with pyrogenic source material and environmental conditions. For example, higher abundance of B5CA + B6CA, indicating more condensed aromatic DBC, has been associated with DBC in wildfire-impacted watersheds [*Wagner et al.*, 2015], whereas DBC solubilized from urban dust has been shoen to be more enriched in B3CA + B4CA (i.e., less polycondensed BC) [*Ding et al.*, 2014a, 2014b]. Although we understand that the source of pyrolized carbon (i.e., fossil fuels or biomass burning) can influence DBC quality, we expect BPCA compositions for the current sample set to be influenced by the atmospheric residence time of the original aerosol BC source and the degree of exposure to solar radiation (Table 1). Thus, we expected to observe lower (B3CA + B4CA)/B5CA ratios when atmospheric transport time was long and/or opportunities for photodegradation were high.

The biomass burning smoke aerosol optical thickness (AOT) data used in this study were obtained from the Navy Aerosol Analysis and Prediction System (NAAPS) reanalysis [*Lynch et al.*, 2016]. NAAPS reanalysis is a decade-long global 1 × 1° and 6-hourly 550 nm AOT reanalysis product, which was recently developed and validated at the Naval Research Laboratory. This reanalysis utilizes a modified version of the NAAPS as its core and assimilates quality-controlled retrievals of AOT from Moderate Resolution Imaging Spectroradiometer (MODIS) on Terra and Aqua and the Multiangle Imaging Spectroradiometer (MISR) on Terra [*Zhang and Reid*, 2006; *Hyer et al.*, 2011; *Shi et al.*, 2014]. NAAPS characterizes anthropogenic and biogenic fine aerosol species (including sulfate and primary and secondary organic aerosols), dust, biomass burning smoke, and sea-salt aerosols. Smoke from biomass burning is derived from near-real-time satellite-based thermal anomaly data used to construct smoke source functions [*Reid et al.*, 2009], with additional orbital corrections on MODIS-based emissions and regional tunings. The reanalyzed fine- and coarse-mode AOT at 550 nm is shown to have good agreement with the ground-based global-scale Sun photometer network Aerosol Robotic Network AOTs [*Holben et al.*, 1998]. Figure 3 is a series of snapshots at 18Z showing wildfire-related smoke AOT at 550 nm between 18 and 21 June 2014 at 550 nm.

3. Results and Discussion

As expected, pristine sites had lower median and (2.5, 97.5) quantiles of DBC concentrations (1.8 μg/L (0.62, 12)) than nonpristine sites (21 μg/L (1.6, 170)). In particular, pristine snow samples exhibited low DBC concentrations (1.8 μg/L (1.3, 10)). The median (B3CA + B4CA)/B5CA ratio for pristine samples from snow was 3 (1, 27) (Table 1 and Figure 2). The remote pristine snow/surface hoar sample from the GRIS had a distinct composition compared to the rest of the data set, containing high levels of B5CA and a ratio of 0.3, below the 2.5% quantile. Similar to the glaciers of Antarctica [*Khan et al.*, 2016], the only potential source of BC to the GRIS is long-range atmospheric transport. The GRIS sample was collected in late June 2014, as wildfires blazed across the Canadian Arctic. As shown by the reanalysis from the NAAPS model, continuous smoke activities occurred over the northwest of Canada between 18 and 21 June 2014 and were transported eastward to the

AGU Geophysical Research Letters 10.1002/2017GL073485

Table 1. Samples Grouped by Pristine and Nonpristine in Ascending Order of (B3CA + B4CA)/B5CA Ratio[a]

	(B3CA + B4CA)/B5CA	DBC (μg/L)	DOC (mg/L)	%DOC of DBC	Region	Medium	Geography
Pristine Snow Sample							
GRIS snow/surface hoar	0.82	1.76	0.67	0.003	GRIS	Snow <1, hoar >5 days	Arctic
Chilean Andes Snow-ET	3.0	1.80	0.83	0.002	Andes	Snow <2 days	Alpine
Niwot Ridge B	3.0	8.93	nd	nd	Rockies	Snow <1 day	Alpine
San Francisco Glacier Snow	3.4	1.44	0.36	0.004	Andes	Snow <2 days	Alpine
WoodFjorden, Svalbard	4.2	1.26	0.54	0.002	Svalbard	Snow <2 days	Arctic
Larsbren	6.3	1.63	0.39	0.004	Svalbard	Snow >5 days	Arctic
Storm Peak Lab	17	9.65	nd	nd	Rockies	Snow >3 days	Alpine
Niwot Ridge A	27	9.27	nd	nd	Rockies	Snow >3 days	Alpine
Pristine Meltwater Samples							
GRIS supraglacial melt	0.32	12.4	0.14	0.088	GRIS	Supraglacial melt	Arctic
Mer de Glace, Chamonix	1.8	1.51	4.13	0.000	Alps	Glacial stream	Alpine
Bano Morales Stream	1.9	6.12	0.50	0.012	Andes	Glacial stream	Alpine
Lake Joyce 7 m[b]	2.5	2.67	0.50	0.005	Antarctic	Lake	Antarctic
Alatna Pond	3.2	3.60	1.00	0.004	Antarctic	Surface water	Antarctic
Lake Bonney East Lobe 5 m	3.6	1.44	0.40	0.004	Antarctic	Lake	Antarctic
Von Guerrard Stream[b]	3.6	0.89	0.63	0.001	Antarctic	Glacial stream	Antarctic
Ngozumba Glacier, Nepal	3.7	1.05	0.10	0.010	Himalayas	Supraglacial lake	Alpine
Ngozumba Glacier, Nepal	3.8	0.62	0.12	0.005	Himalayas	Supraglacial lake	Alpine
Alaskan Glacial Rivers[c]	4.3	0.53 ± 0.09	0.010 ± 0.004	1.9 ± 0.6	Alaska	Glacial river	Arctic
Lake Bonney West Lobe 5 m[b]	5.4	1.22	0.40	0.003	Antarctic	Lake	Antarctic
Green Lake 4, Colorado	5.4	4.40	nd	nd	Rockies	Lake	Alpine
Ngozumba Glacier, Nepal	6.3	1.54	0.12	0.012	Himalayas	Supraglacial lake	Alpine
Ngozumba Glacier, Nepal	6.7	1.94	0.40	0.005	Himalayas	Supraglacial lake	Alpine
Ngozumba Glacier, Nepal	6.8	1.39	0.83	0.002	Himalayas	Supraglacial Lake	Alpine
Nonpristine Snow							
Mine 7	3.3	71.4	1.03	0.070	Svalbard	Snow >5 days	Arctic
Upwind of the Mine	7.7	2.36	5.43	0.000	Svalbard	Snow >5 days	Arctic
Next to Coal Power Plant	17	6.84	0.32	0.021	Svalbard	Snow >5 days	Arctic
Upper Snowmobile Track	43	44.4	4.68	0.009	Svalbard	Snow >5 days	Arctic
Lower Snowmobile Track	59	21.6	0.54	0.040	Svalbard	Snow >5 days	Arctic
Nonpristine Meltwater							
High Park Fire PNF0714[d]	1.3	177	2.97	0.06	Rockies	Terrestrial stream	Alpine
Marble Point	1.5	33.6	4.23	0.008	Antarctic	Surface water	Antarctic
Clear Lake	2.2	47.3	4.30	0.011	Antarctic	Surface water	Antarctic
Lake Fryxell 5 m	2.2	8.28	1.80	0.005	Antarctic	Lake	Antarctic
DLH Pond	3.1	14.0	2.30	0.006	Antarctic	Surface water	Antarctic
Pony Lake	3.4	170	19.0	0.009	Antarctic	Surface water	Antarctic
Longyear River	3.5	4.68	5.83	0.001	Svalbard	Glacial stream	Arctic
Longyearbyen Fjord Water	4.3	20.5	0.33	0.062	Svalbard	Ocean	Arctic

[a]A lower ratio is more indicative of wildfire-derived DBC, and a higher ratio is more indicative of fossil fuel combustion and/or photodegradation.
[b]From *Khan et al.* [2016].
[c]From *Ding et al.* [2014a, 2014b].
[d]From *Wagner et al.* [2015].

western region of the GRIS (Figure 3). Fresh aerosols from these fires, which appear to have been transported to the western region of the GRIS, would have remained in the surface hoar with limited time for photodegradation prior to sample collection and provide an explanation for the highly condensed aromatic DBC signal observed for this sample. The other most remote pristine snow sample was from Svalbard, which had a DBC concentration of 1.1 μg/L and BPCA ratio of 4. This sample was collected in Woodfjorden, which is several hundred kilometers from the closest town, thus only impacted by atmospheric long-range transport.

Pristine snow samples from the Rocky Mountains had similar DBC concentrations, from 8.9 to 9.7 μg/L, but the (B3CA + B4CA)/B5CA ratios ranged from 3 to 27. Two of the pristine snow samples yielded (B3CA + B4CA)/B5CA ratios higher than the Woodfjorden sample, 4.2. The (B3CA + B4CA)/B5CA ratio was 17 at Storm Peak Lab in Northern Colorado and 27 at Niwot Ridge in central Colorado (Table 1), suggesting that the BC in these remote Colorado samples is less condensed than the remote sample from Svalbard, which may be indicative of more photodegradation of DBC at the Colorado sites prior to sample

AGU Geophysical Research Letters 10.1002/2017GL073485



Figure 2. Frequency distribution of pristine and nonpristine snow and meltwater samples from unpublished values in Table 1. Meltwater samples are composed of both snow and ice melt. Note that the maximum *x* axis value for DBC pristine concentration is 14 µg/L versus 150 µg/L for DBC nonpristine concentration.

collection, or a different pyrolized source. These two samples were aged snow samples and exposed to solar radiation longer than the Woodfjorden sample (Table 1). In contrast, a fresh snow sample from a similar location in the Rocky Mountains only yielded a (B3CA + B4CA)/B5CA ratio of 3. The DBC in this fresh snow sample had limited time for photodegradation, which may result in the less condensed BPCA ratio, relative to the aged snow samples from the Rocky Mountains. Again, these sites are >5 km away from known point sources so the enrichment in less condensed DBC may be related to accumulated solar exposure from the time since snow deposition to the time of sample collection and/or the long-range transport of fossil fuel-derived soot particles, which have been found on remote glaciers [*Stubbins et al.*, 2012a; *Ding et al.*, 2014a].

Median DBC concentrations were higher in nonpristine snow samples (14 µg/L (1.6, 71)) than pristine snow samples (1.8 µg/L (1.3, 10)). Furthermore, the median (B3CA + B4CA)/B5CA ratio for nonpristine samples from snow was much higher than pristine snow samples, 12 (3, 59) and 3 (1, 27), respectively (Table 1 and Figure 2). The highest DBC concentrations in snow were found in samples collected from Longyearbyen, Svalbard, next to an active coalmine (71 µg/L), a coal burning power plant (6.8 µg/L), and along the primary Longyearbren snowmobile track (22 µg/L upper track; 44 µg/L lower track), which receives continuous inputs of fresh anthropogenic BC. In contrast to our hypothesis that continuous inputs would result in a signature less indicative to photodegradation, these samples also feature the highest (B3CA + B4CA)/B5CA ratios, with the snow next to the coal burning power plant featuring a ratio of 17, and even higher ratios along the snowmobile track (43 upper track; 59 lower track).

Pristine meltwaters had low median DBC concentrations (1.7 µg/L (0.6, 12)), similar to the pristine snow samples (1.8 µg/L (1.3, 10)). The median (B3CA + B4CA)/B5CA ratio for pristine meltwaters, 4 (0.3, 7) (Table 1 and Figure 2), was marginally higher than for pristine snow samples, 3 (1, 27). In contrast to the Nepal meltwaters, the GRIS supraglacial meltwater had a high concentration of DBC (12.4 µg/L) corresponding to the 97.5% quantile. In addition, the GRIS meltwater had a similar low ratio of (B3CA + B4CA)/B5CA to the GRIS snow/surface hoar sample. These BPCA ratios (0.3 and 0.8, respectively) were the lowest in this data set and are lower than the previously reported ratios from terrestrial rivers impacted by wildfires [*Wagner et al.*, 2015]. As noted previously, these low ratios likely reflect fresh and recent BC deposition sourced from Canadian Arctic wildfires (Figure 3). The higher DBC concentration compared to the Nepal supraglacial waters

AGU Geophysical Research Letters 10.1002/2017GL073485



Figure 3. Smoke aerosol optical thickness (AOT) reanalysis at 18Z from the Navy Aerosol Analysis and Prediction System (NAAPS) between 18 and 21 June 2014 shows that wildfire aerosols were transported from the Canadian Arctic to the western region of Greenland Ice Sheet in June 2014. The color bar is atmospheric total column smoke aerosol optical thickness (AOT) at 550 nm, which is unitless.

could reflect not only the substantial long-range wildfire inputs but also the large surface area of the GRIS, which may provide a larger supraglacial catchment for accumulation and transport of DBC to the ablation area where the sample was collected. Further, the high DBC in the GRIS meltwater provides support to the potential positive feedback proposed by *Keegan et al.* [2014] whereby DBC-enriched meltwater is retained as refrozen ice layers in the snowpack and enhances future melting.

Meltwater from supraglacial lakes on the Ngozumba Glacier in Nepal, located at ~5000 m, had the lowest DBC concentrations (average of five samples, 1.3 ± 0.49 μg/L) of all meltwater samples. The ratio of these samples was also composed of less condensed DBC, with an average ratio of 5, than supraglacial melt from the GRIS which was composed of highly condensed DBC and had a ratio of 0.3. Although no nearby snow samples were obtained for direct comparison, these low values from the Ngozumba Glacier may be associated with heavy glacial debris coverage in the majority of the ablation region. The debris cover, when thick enough, provides insulation from glacial melt [*Pratap et al.*, 2015] and may reduce supraglacial meltwater generation, along with transport and accumulation of DBC. Although these lakes form an ice cover from about November to March, in the summer they receive large solar radiation inputs, enhanced by the high altitude, which could drive photodegradation of DBC. The less condensed DBC signature could also suggest different combustion sources of DBC between these sampling locations.

Similar to the observations for snow and ice, nonpristine meltwaters had higher median DBC concentrations, (27 μg/L (4.7, 170)) than pristine meltwaters (1.7 μg/L (0.6, 12)). Closed-basin nonpristine Antarctic surface

waters, such as DLH Pond, Marble Point Pond, Clear Lake, and Pony Lake, contained high concentrations of DBC ranging from 14 to 170, μg/L. These lakes are located near active point sources of fossil fuel combustion, such as helicopter flight paths and fueling stations, and exhibited elevated DBC concentrations relative to snow and meltwater. Because these surface water ponds have no outflowing streams, any DBC deposited in the systems will remain and accumulate for millennia, similar to the MDV lakes [*Khan et al.*, 2016], or may be photodegraded. The BPCA ratio of these four nonpristine Antarctic surface waters ranged from 2 to 3. Similarly, the BPCA ratios for Longyear River and Adventfjord ocean water in the Norwegian Arctic, both located near the town of Longyearbyen and the local coal burning power plant, were both 4. Such elevated DBC concentrations and BPCA ratios suggest that site proximity to continuous inputs from these particular anthropogenic sources results in high concentrations of less condensed DBC and that long-term photooxidation may not be the only cause of less condensed DBC in the cryosphere.

4. Conclusions

This study reveals that within the cryosphere, DBC concentrations and composition are widely variable. Regionally, concentration differences exist between remote-pristine sites and those near combustion point sources. Overall, these data support our hypothesis that DBC in the cryosphere that is exposed to longer durations of solar radiation results in less condensed DBC due to photodegradation. Additionally, sites in the cryosphere receiving fresh BC from wildfires, such as on the GRIS where aerosols were deposited directly from Canadian Arctic wildfires, consist of more condensed DBC. This signature of the DBC from the GRIS was distinct from the sites which receive continuous inputs from local anthropogenic sources of BC, such as along the heavily used snowmobile track in Svalbard, as well as sites which have prolonged sunlight exposure, thus enhancing effects of photodegradation.

While the influence of wildfire inputs throughout the cryosphere may be important regionally, as observed in the samples from the GRIS, the intense solar exposure in high alpine and polar regions, and the characteristics of some anthropogenic combustion sources subject to solar exposure during long-range atmospherics transport [*Cooke and Wilson*, 1996], may cause the similarity in DBC composition observed throughout the rest of the global cryosphere data set. This includes photodegradation of background levels of wildfire-derived BC, which may also contribute to B3 + 4CA enrichment in the cryosphere [*Stubbins et al.*, 2012b; *Ward et al.*, 2014]. As such, the influence of photodegradation may sometimes be "overwhelmed" by discrete wildfire sources, which likely account for DBC composition of the GRIS samples. Although the current data set allowed for preliminary assessments of contributions to and persistence of DBC in the cryosphere, the observed variability of DBC composition is likely derived from a combination of biogeochemical processes in the environment and different pyrogenic sources.

Previously reported accumulation of millennia old DBC in Antarctic lakes [*Khan et al.*, 2016] suggests that DBC is recalcitrant in the cryosphere. The ranges of concentrations observed in this diverse data set of DBC from the global cryosphere indicate that relatively high DBC concentrations persist in both pristine and nonpristine Arctic and Antarctic snow and surface waters. The relatively high concentration of DBC measured in one supraglacial stream on the GRIS may suggest that DBC can be mobilized across ice surfaces. Wildfires are predicted to increase due to climate change [*Flannigan et al.*, 2009], and albedo cannibalism is already influencing meltwater generation on the GRIS [*Tedesco et al.*, 2015]. Anticipated longer summer melt seasons as a result of climate change may result in longer durations between snowfalls, enhancing exposure of recalcitrant DBC on snow/ice surfaces, which could further exacerbate surface albedo reduction in the cryosphere.

Acknowledgments
Funding for this work came from the National Science Foundation Graduate Research Fellowship and the National Science Foundation-Graduate Research Opportunities Worldwide (GROW-Chile), award (NSF award: DGE-1144083), the McMurdo Dry Valleys Long-Term Ecological Research Program, award (NSF award: ANT-0423595), the United States Agency for International Development (USAID) Contributions to High Asia Run-off from Ice and Snow (CHARIS) project, and the Consortium of Universities for the Advancement of Hydrologic Sciences (CUAHSI) Pathfinder Travel Fellowship. Funding for sample collection on the GRIS came from the Dark Snow Project. Additional support for the DBC analyses came from the Florida Coastal Everglades Long-Term Ecological Research Program, award (DEB-1237517) and the George Barley Endowment. This is contribution 835 from the Southeast Environmental Research Center. We thank Eric Sokol for helpful discussion of data analysis and approaches, as well as anonymous reviews for their helpful feedback. The authors also thank Ulyana Horodyskyj, Jason Box, Marek Stibal, and James McPhee for field team support during sampling in Nepal, Greenland, and Chile. The data for this manuscript are presented in Table 1 and the subsequent figures; however, any additional data requests can be directed to alia.khan@colorado.edu. A.L.K. and D.M.M. conceived the study. M.W. and R.A. advised the study design and supported field sampling. A.L.K. conducted field sample collection. R.J. provided support for laboratory analysis. A.L.K. and S.W. conducted laboratory analysis. P.X. provided NAAPS model reanalysis. A.L.K., D.M.M., P.X., S.W., and R.J. contributed to data analysis and writing of the manuscript.

References

Abiven, S., P. Hengartner, M. P. W. Schneider, N. Singh, and M. W. I. Schmidt (2011), Pyrogenic carbon soluble fraction is larger and more aromatic in aged charcoal than in fresh charcoal, *Soil Biol. Biochem.*, *43*(7), 1615–1617, doi:10.1016/j.soilbio.2011.03.027.

Bond, T. C., et al. (2013), Bounding the role of black carbon in the climate system: A scientific assessment, *J. Geophys. Res. Atmos.*, *118*, 5380–5552, doi:10.1002/jgrd.50171.

Cooke, W. F., and J. J. N. Wilson (1996), A global black carbon aerosol model, *J. Geophys. Res.*, *101*(D14), 19,395–19,409, doi:10.1029/96JD00671.

Ding, Y., Y. Yamashita, W. K. Dodds, and R. Jaffé (2012), Dissolved black carbon in grassland streams: Is there an effect of recent fire history?, *Chemosphere*, *90*(10), 2557–2562, doi:10.1016/j.chemosphere.2012.10.098.

Ding, Y., Y. Yamashita, J. Jones, and R. Jaffe (2014a), Dissolved black carbon in boreal forest and glacial rivers of central Alaska: Assessment of biomass burning versus anthropogenic sources, *Biogeochemistry*, *123*, 15–25, doi:10.1007/s10533-014-0050-7.

Ding, Y., K. M. Cawley, C. N. da Cunha, and R. Jaffé (2014b), Environmental dynamics of dissolved black carbon in wetlands, *Biogeochemistry*, *119*, 259–273, doi:10.1007/s10533-014-9964-3.

Dittmar, T. (2008), The molecular level determination of black carbon in marine dissolved organic matter, *Org. Geochem.*, *39*(4), 396–407, doi:10.1016/j.orggeochem.2008.01.015.

Dittmar, T., B. Koch, N. Hertkorn, and G. Kattner (2008), A simple and efficient method for the solid-phase extraction of dissolved organic matter (SPE-DOM) from seawater, *Limnol. Oceanogr. Methods*, *6*, 230–235, doi:10.4319/lom.2008.6.230.

Fellman, J. B., E. Hood, P. A. Raymond, A. Stubbins, and R. G. M. Spencer (2015), Spatial variation in the origin of dissolved organic carbon in snow on the Juneau icefield, Southeast Alaska, *Environ. Sci. Technol.*, 150908131727005, doi:10.1021/acs.est.5b02685.

Flannigan, M., B. Stocks, M. Turetsky, and M. Wotton (2009), Impacts of climate change on fire activity and fire management in the circumboreal forest, *Glob. Chang. Biol.*, *15*(3), 549–560, doi:10.1111/j.1365-2486.2008.01660.x.

Glaser, B., L. Haumaier, G. Guggenberger, and W. Zech (1998), Black carbon in soils: The use of benzenecarboxylic acids as specific markers, *Org. Geochem.*, *29*(4), 811–819, doi:10.1016/S0146-6380(98)00194-6.

Hodson, A. J. (2014), Understanding the dynamics of black carbon and associated contaminants in glacial systems, *Wiley Interdiscip. Rev. Water*, *1*(April), 141–149, doi:10.1002/wat2.1016.

Holben, B. N., et al. (1998), AERONET—A federated instrument network and data archive for aerosol characterization, *Remote Sens. Environ.*, *4257*(98).

Hood, E., J. Fellman, R. G. M. Spencer, P. J. Hernes, R. Edwards, D. D'Amore, and D. Scott (2009), Glaciers as a source of ancient and labile organic matter to the marine environment, *Nature*, *462*(7276), 1044–1047, doi:10.1038/nature08580.

Hood, E., T. J. Battin, J. Fellman, S. O'Neel, and R. G. M. Spencer (2015), Storage and release of organic carbon from glaciers and ice sheets, *Nat. Geosci.*, *8*(2), 1–6, doi:10.1038/ngeo2331.

Hyer, E. J., J. S. Reid, and J. Zhang (2011), An over-land aerosol optical depth data set for data assimilation by filtering, correction, and aggregation of MODIS Collection 5 optical depth retrievals, *Atmos. Meas. Tech.*, *4*, 379–408, doi:10.5194/amt-4-379-2011.

Keegan, K. M., M. R. Albert, J. R. Mcconnell, and I. Baker (2014), Climate change and forest fires synergistically drive widespread melt events of the Greenland Ice Sheet, *Proc. Natl. Acad. Sci. U. S. A.*, *111*, 7964–7967, doi:10.1073/pnas.1405397111.

Khan, A. L., R. Jaffé, Y. Ding, and D. M. McKnight (2016), Dissolved black carbon in Antarctic lakes: Chemical signatures of past and present sources, *Geophys. Res. Lett.*, *43*, 5750–5757, doi:10.1002/2016GL068609.

Lynch, P., et al. (2016), An 11-year global gridded aerosol optical thickness reanalysis (v1. 0) for atmospheric and climate sciences, *Geosci. Model Dev.*, *9*, 1489–1522, doi:10.5194/gmd-9-1489-2016.

Mcbeath, A. V., R. J. Smernik, and E. S. Krull (2013a), Organic geochemistry: A demonstration of the high variability of chars produced from wood in bushfires, *Org. Geochem.*, *55*, 38–44, doi:10.1016/j.orggeochem.2012.11.006.

Mcbeath, A. V., R. J. Smernik, E. S. Krull, and J. Lehmann (2013b), ScienceDirect: The influence of feedstock and production temperature on biochar carbon chemistry—A solid-state ^{13}C NMR study, *Biomass Bioenergy*, *60*, 121–129, doi:10.1016/j.biombioe.2013.11.002.

Pratap, B., D. P. Dobhal, M. Mehta, and R. Bhambri (2015), Influence of debris cover and altitude on glacier surface melting: A case study on Dokriani Glacier, central Himalaya, India, *Ann. Glaciol.*, *56*(70), 9–16, doi:10.3189/2015AoG70A971.

Reid, J. S., et al. (2009), Global monitoring and forecasting of biomass-burning smoke: Description of and lessons from the Fire Locating and Modeling of Burning Emissions (FLAMBE) program, *IEEE J. Sel. Top. Appl. Earth Obs. Remote Sens.*, *2*(3), 144–162.

Schneider, M. P. W., M. Hilf, U. F. Vogt, and M. W. I. Schmidt (2010), The benzene polycarboxylic acid (BPCA) pattern of wood pyrolyzed between 200°C and 1000°C, *Org. Geochem.*, *41*(10), 1082–1088, doi:10.1016/j.orggeochem.2010.07.001.

Shi, Y., J. Zhang, J. S. Reid, B. Liu, and E. J. Hyer (2014), Critical evaluation of cloud contamination in the MISR aerosol products using MODIS cloud mask products, *Atmos. Meas. Tech. Discuss.*, 1791–1801, doi:10.5194/amt-7-1791-2014.

Stubbins, A., et al. (2012a), Anthropogenic aerosols as a source of ancient dissolved organic matter in glaciers, *Nat. Geosci.*, *5*(3), 198–201, doi:10.1038/ngeo1403.

Stubbins, A., J. Niggemann, and T. Dittmar (2012b), Photo-lability of deep ocean dissolved black carbon, *Biogeosciences*, *9*(2002), 1661–1670, doi:10.5194/bg-9-1661-2012.

Tedesco, M., S. Doherty, X. Fettweis, P. Alexander, J. Jeyaratnam, E. Noble, and J. Stroeve (2015), The darkening of the Greenland ice sheet: Trends, drivers and projections (1981–2100), *Cryosphere Discuss.*, *9*(5), 5595–5645, doi:10.5194/tcd-9-5595-2015.

Wagner, S., K. M. Cawley, F. L. Rosario-Ortiz, and R. Jaffé (2015), In-stream sources and links between particulate and dissolved black carbon following a wildfire, *Biogeochemistry*, *124*, 145–161, doi:10.1007/s10533-015-0088-1.

Ward, C. P., R. L. Sleighter, P. G. Hatcher, and R. M. Cory (2014), Insights into the complete and partial photooxidation of black carbon in surface waters, *Environ. Sci.: Processes Impacts*, *16*(4), 721–731, doi:10.1039/c3em00597f.

Wiedenmeyer, M., et al. (2015), Aromaticity and degree of aromatic condensation of char, *Org. Geochem.*, *78*, 135–143, doi:10.1016/j.orggeochem.2014.10.002.

Zhang, J., and J. S. Reid (2006), MODIS aerosol product analysis for data assimilation: Assessment of over-ocean level 2 aerosol optical thickness retrievals, *J. Geophys. Res.*, *111*, D22207, doi:10.1029/2005JD006898.

Ziolkowski, L. A., and E. R. M. Druffel (2010), Aged black carbon identified in marine dissolved organic carbon, *Geophys. Res. Lett.*, *37*, L16601, doi:10.1029/2010GL043963.